

IN THE COURT OF COMMON PLEAS

HAMILTON COUNTY, OHIO

CASE NUMBER: A1402190

JUDGE STEVEN E. MARTIN

MCKENZIE DAVIS

PLAINTIFF

vs.

DELHI TOWNSHIP OHIO DBA  
DUNGEONS OF DELHI, ET AL.

DEFENDANTS

\* \* \* \* \*

DEPONENT:

DR. GERALD STEIMAN

DATE:

AUGUST 23, 2016

\* \* \* \* \*

Mindy Davis

Certified Court Reporter

Barlow Reporting & Video Service, LLC

620 Washington Street  
Covington, Kentucky 41011  
(859)261-8440

INDEX

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

	Page
Cross-Examination By Mr. Maislin	4
Examination By Mr. Powell	72

EXHIBITS

	Page
Deposition Exhibit 1	67

1           The deposition of DR. GERALD STEIMAN, taken for  
2 the purpose of discovery and/or use as evidence in  
3 the within action, pursuant to notice, heretofore  
4 taken at the office of Steiman Neurology Group, 5150  
5 East Main Street, Suite 100, Columbus, Ohio, on  
6 August 23, 2016, at 10:00 a.m., upon oral  
7 examination, and to be used in accordance with the  
8 Ohio Rules of Civil Procedure.

9                                   \* \* \* \* \*

10                                   APPEARANCES

11           ATTORNEY FOR PLAINTIFF:

12           Blake R. Maislin, Esq.  
13           Ronald Taylor, Esq.  
14           LAW OFFICES OF BLAKE R. MAISLIN, LLC  
15           2260 Francis Lane  
16           Cincinnati, Ohio 45206  
17           (513)721-5555

18           ATTORNEY FOR DEFENDANTS:

19           Bradley A. Powell, Esq.  
20           DRODER & MILLER  
21           125 West Central Parkway  
22           Cincinnati, Ohio 45202  
23           (513)721-1504

24                                   \* \* \* \* \*

25

1 DR. GERALD STEIMAN,  
2 called on behalf of the Plaintiff, after having been  
3 first duly sworn, was examined and deposed as  
4 follows:

5 CROSS-EXAMINATION

6 BY MR. MAISLIN:

7 Q. Dr. Steiman, let me ask you to just state  
8 your name for the record, please.

9 A. Gerald Steiman.

10 Q. And you were hired by whom in this case?

11 A. By Mr. Brad Powell.

12 Q. All right. And do you know who he  
13 represents?

14 A. No.

15 Q. Do you know that he's defending a civil  
16 case that's been brought by McKenzie Davis and her  
17 mom?

18 A. Yes, I do.

19 Q. And how were you told that information?

20 A. By Mr. Powell.

21 Q. Did you have a chance to see McKenzie  
22 Davis?

23 A. Yes.

24 Q. And did you also review her records?

25 A. Yes.

1 Q. And there's a huge stack of records that's  
2 in front of us. Tell me, is that your entire chart?

3 A. Correct. And all of it was received by  
4 Mr. Powell -- was from Mr. Powell.

5 Q. Okay. Other than the medical records that  
6 are in this big stack with a rubber band, looks like  
7 it's about 13 inches, maybe 12, have you reviewed  
8 anything else?

9 A. No.

10 Q. So the extent of your evaluation in this  
11 case included review of all the medical records of  
12 McKenzie Davis and an independent medical  
13 examination that you, yourself, conducted?

14 A. Yes, sir.

15 Q. Anything else that you relied on in this  
16 case?

17 A. No.

18 Q. There was a medical records summary that  
19 was provided to a different defense expert in this  
20 case that was created by defense counsel. Have you  
21 seen that?

22 A. Not that I'm aware of. We don't use -- I  
23 would never use that.

24 Q. Okay. And why not?

25 A. Because that's defense counsel's work

1 product, not mine.

2 Q. Okay. Are there any records in particular  
3 that you notated or you tabbed that you find to be  
4 more important than others when you are relying on  
5 your opinion?

6 A. No, everything about a patient is  
7 important. I mean, what's more important depends on  
8 the question you ask me.

9 Q. All right. And how long did you spend  
10 with McKenzie Davis?

11 A. Oh, the time slot is 45 minutes and  
12 probably pretty close to a full 45 minutes. I can't  
13 remember specifically, but it was probably pretty  
14 close.

15 Q. Where did you perform your examination?

16 A. I think it was here in the office.

17 Q. In Columbus?

18 A. I think so.

19 Q. Okay. And I'm only saying that for the  
20 record because we're down in Cincinnati. What is  
21 your office address?

22 A. 5150 East Main in Columbus.

23 Q. Do you have any other offices?

24 A. I do independent medical exams in an  
25 office just south of Dayton. I go there about once

1 a month to do IMEs typically for the state, but I  
2 don't see patients or anything there.

3 Q. So you have an office dedicated just to  
4 independent medical --

5 A. In the Dayton area, in the Miamisburg  
6 area.

7 Q. And how much time do you spend down there?

8 A. About one day a month. Usually it's like  
9 I would see anywhere from two to five claimants or  
10 IMEs or what have you.

11 Q. And you said mostly by the state. Who  
12 else would be hiring you for those?

13 A. I mean, I do IMEs for many entities,  
14 whether it's Social Security, Department of Labor,  
15 Postal Service, Bureau of Workers' Comp, medical  
16 malpractice, personal injuries, things like that.  
17 So whatever is scheduled there, I see.

18 Q. I understand, but who are the entities  
19 that are actually hiring you? I mean, the  
20 government's obviously one of them. In this case  
21 you were hired by --

22 A. Oh, it could be government, it could be  
23 attorney, it could be Workers' Compensation through  
24 a third-party administrator or anything of that  
25 nature.

1 Q. You ever do any work for plaintiffs'  
2 lawyers?

3 A. Sure. Sure.

4 Q. What's the percentage --

5 A. Well --

6 Q. -- breakdown?

7 A. -- of all the IMEs I do, about  
8 three-quarters, two-thirds, three-quarters are  
9 actually government related, so there's no defense  
10 or plaintiff. It's strictly from the Bureau or what  
11 have you or from Social Security. About a third  
12 have an attorney involved, and probably nine out of  
13 10 would be for defense and one out of 10 would be  
14 for plaintiff. Typically plaintiff uses treating  
15 physicians, defense uses IMEs, and that's why the  
16 skew in the numbers.

17 Q. Why don't we rely on plaintiff's  
18 physicians, are they just not as good as you?

19 A. No, that's a trick question so let's get  
20 beyond that.

21 Q. No, I like my question. Why --

22 A. I think it's a silly question.

23 Q. Okay. Why do people hire defense experts  
24 like you?

25 A. Oh, probably because I'm experienced, I'm



1 knowledgeable and trained. I've got expertise.

2 That's why people hire me. Why would defense?

3 Because typically, as far as I am aware, defense is

4 not able to use treating physicians.

5 Q. Why do you say that?

6 A. That's just only my thought. I don't have

7 expertise in that area.

8 Q. Have you talked with the treating

9 physicians in this case?

10 A. No, that would be, in my mind, a breach of

11 ethics.

12 Q. What if we gave you authorizations and you

13 had the opportunity to talk with the treating

14 physicians in this case, do you think that would

15 help you with your opinions?

16 A. No, I don't think it would help me in this

17 case because this, to me, is no different than a

18 second opinion. If a patient came to see me, I

19 would give them an opinion as to whether I think --

20 what my thoughts are. And if the treating physician

21 has the same -- let's say another physician has the

22 same thoughts or has a different thought, I'm not

23 there to, you know, enter into an argument where I

24 think it's green and they think it's blue. I'll

25 give you my opinion.

1 Q. Just so I get the numbers right, you said  
2 two-thirds to three-quarters, roughly in that area,  
3 you're representing the government?

4 A. Yeah. Well, I'm asked to see them on  
5 behalf -- from a government. I don't look upon it  
6 as representing the government.

7 Q. And what is the government looking into,  
8 are they looking into whether or not somebody should  
9 receive treatment to be paid for by the government  
10 or what are we talking about?

11 A. Well, it varies. It could be whether they  
12 deserve Social Security benefits, whether they have  
13 a bus driver employed by the state, whether they're  
14 able to go back to work as a bus driver, whether a  
15 teacher who has MS is able to go back to work as a  
16 teacher, whether a fellow who is injured at work,  
17 what injury did he sustain, what is the recommended  
18 treatment, so it varies.

19 Q. And this is when -- they're asking you to  
20 do this instead of the treating physicians?

21 A. Well, there may be a -- there may be a  
22 conflict as to what the right thing to do is or  
23 whether it's appropriate, whether it's related,  
24 whether it's not related. So they would  
25 determine -- I don't know on the basis of why they

1 would determine it, but they ask me to see a  
2 claimant and give an opinion.

3 Q. There are other physicians in this case  
4 that have reviewed McKenzie Davis' medical records  
5 and provided opinions, correct?

6 A. Correct.

7 Q. You're aware of some of the other opinions  
8 in the medical records?

9 A. Correct.

10 Q. Are you familiar with the physicians that  
11 provided those opinions?

12 A. No, I do not know them.

13 Q. Okay. You don't have an opinion one way  
14 or the other whether their opinion was properly  
15 formulated or not?

16 A. Well, I don't know the basis of their  
17 opinions. I mean, if a doctor says -- like in this  
18 case some doctor makes a diagnosis. I don't know  
19 the basis they use to make that diagnosis. I know  
20 what they diagnosed.

21 Q. Do you know how many doctors in this case  
22 diagnosed McKenzie Davis with either RSD or CRPS?

23 A. No. I think she saw a number of different  
24 pain managers and they all agreed with themselves,  
25 but I don't know the exact number.

1 Q. Any orthopaedic doctors?

2 A. I think she saw Dr. Amis and I  
3 know initially she saw a Dr. Burger, but I can't  
4 remember offhand if she saw another orthopaedic  
5 surgeon. I'd have to go through the specific notes  
6 of the chart.

7 Q. Okay. And are you able to say that your  
8 opinion holds more weight than any of the other  
9 physicians in this case?

10 A. No, I'm not claiming one holds more  
11 weight. I'll give you my opinion. I'll give you  
12 the basis of that opinion. I'll give you the  
13 science behind my opinion. So I can -- my role, I  
14 view my role as -- not just to formulate an opinion.  
15 So it's a discussion as, quote, unquote, who's  
16 stronger or who's taller or who's shorter, but I'll  
17 give the scientific basis and the science of this  
18 entire area and set forth the basis of my opinion.

19 Q. What percentage of your practice deals  
20 with either RSD or CRPS?

21 A. Patients who actually have the illness or  
22 patients who are referred to me to assess whether or  
23 not they might have?

24 Q. Well, let's break it down.

25 A. Well, I can't. You see, you use the term

1 RSD and I respect that you're using the term, but  
2 please be aware the current term is a little  
3 different name. But for the sake of discussion, why  
4 don't we agree we'll use the terms interchangeably?

5 Q. Okay.

6 A. So RSD is a diagnosis which is often made  
7 but seldom meets diagnostic criteria. So in my  
8 experience, a number of patients that I might see  
9 who are referred to assess whether or not they might  
10 have RSD, in fact, have an alternative explanation  
11 as to why they have their system complex. It's not  
12 RSD, but it's something else. The number of  
13 patients with RSD, it's hard to -- probably in a  
14 year I would see hundreds of patients who are  
15 thought to have RSD. In a year I might see a dozen  
16 patients who meet the criteria of RSD.

17 Q. And how are these 100 patients coming to  
18 you throughout the year?

19 A. Treating physicians, emergency rooms,  
20 government, attorneys who want an opinion as to  
21 whether they have it.

22 Q. Really I'm looking for a breakdown. I  
23 mean, how many of these are you actually being hired  
24 to treat and diagnose?

25 A. You mean that are my patients?

1 Q. Yes.

2 A. Probably 50 a year, plus or minus, of  
3 which, let's say, half come from independent medical  
4 exams, half comes from referring physicians. And of  
5 both groups, as I said, maybe 10 percent actually  
6 have the diagnosis.

7 Q. In the 45 minutes that McKenzie Davis was  
8 in your office, did she spend the entire 45 minutes  
9 in your presence?

10 A. Pretty well. I know my nurse would go in  
11 there at the beginning and get some history and then  
12 I would spend time with her reviewing it, so the  
13 majority of time, yes.

14 Q. Do you think that she was lying to you  
15 during her interview?

16 A. No.

17 Q. Do you think that she was fudging anything  
18 or intentionally magnifying symptoms; do you know?

19 A. Intentionally magnify, I didn't assess her  
20 for that. If you're asking me did I assess her to  
21 see if she was giving heightened responses, no, I  
22 did not. You raise an interesting point. I know  
23 she has a history of depression and anxiety, and  
24 those patients tend to have heightened symptomatic  
25 complaints. She was on narcotics. And as you're on

1 narcotics for a long period of time, they become  
2 less effective so you have more pain so you need  
3 more narcotics. In that group of patients, you  
4 often determine their impairment, if I can use that  
5 word, based on objective physical findings rather  
6 than subjective complaints. But I didn't find and I  
7 didn't look into whether she was exaggerating,  
8 embellishing, magnifying, I'm just aware of that.

9 Q. Aware of what?

10 A. That patients may do that, but I didn't  
11 assess her for that possibility.

12 Q. Okay. Just so we're clear, because  
13 sometimes we go into court and there's these hints  
14 that maybe a client or a patient is intentionally  
15 fabricating symptoms, you're not going to present  
16 any opinions in this case that she did that?

17 A. No.

18 Q. I'm going to -- I have your report here.

19 A. Sure.

20 Q. It's a discovery deposition. I'm going to  
21 keep it easy. It looks like it's a 23-page report;  
22 is that correct?

23 A. Correct. And just to save time, the first  
24 part is the history that she gives me directly, all  
25 right? I obtain that from her.

1 Q. Anything in the history that she gave you,  
2 do you find any of that inconsistent with any of the  
3 other findings that you made either during your exam  
4 or the record review?

5 A. No. I mean, you asked me, as I look, she  
6 saw Dr. Sammarco, an orthopaedic surgeon, so it's  
7 here. It talks about who she saw, what they did,  
8 the response she had, things of that nature. Her  
9 past history is obtained from her job activities.  
10 As you can see, she wrote down, and I expounded on  
11 that, what her complaints are. Activities of daily  
12 living and pain perception are standardized forms.  
13 Social history is directly from her. Medical record  
14 review is a -- what I consider to be a pertinent  
15 summary of her medical records. Then the discussion  
16 is basically a discussion who she saw, what they  
17 did, and then I discuss in part the diagnostic  
18 criteria of CRPS, both the AMA Guide diagnostic  
19 criteria, the diagnostic criteria of the  
20 International Association for the Study of Pain and  
21 then the so-called Budapest criteria which are  
22 really just a proposal to amend the IASP criteria  
23 published by Harden, et al, where basically the  
24 difference between the two, I highlight the  
25 difference between AMA criteria, which are highly



1 objective, ISAP criteria, which are minimally  
2 objective, and I applied her physical findings to  
3 both criteria to see if she met the diagnosis and  
4 reached my conclusion.

5 Q. When you talk about her physical findings,  
6 are you talking about the ones that you personally  
7 were able to notice during your exam?

8 A. Correct.

9 Q. Are you referring at all to any of the  
10 physical findings that may have been objectively  
11 seen by any other physician in the medical records?

12 A. Correct. I reviewed all of that. I look  
13 to see if she met the criteria for me and I look to  
14 see if she met the criteria identified by any other  
15 physician. Now, be aware sometimes she'll see a  
16 physician and she'll complain of -- she'll present a  
17 subjective complaint.

18 Q. That's subjective. I'm just talking about  
19 objective findings.

20 A. Oh, objective. No, I did not see  
21 objective findings by her treating physicians that  
22 she met the ISAP or the AMA or the proposed Budapest  
23 criteria for CRPS.

24 Q. If I look at your report, and specifically  
25 I'm looking at page 21 of 23 and it's the last

1 paragraph before the opinion.

2 A. Correct.

3 Q. About halfway down it starts with she does  
4 not, however, have any objective evidence of complex  
5 regional pain syndrome.

6 A. Correct.

7 Q. There was no objective evidence of  
8 temperature asymmetry and/or skin color  
9 changes/asymmetry. There is no evidence of edema or  
10 sweating changes. There is no motor dysfunction  
11 except that secondary to pain. There is no  
12 intrinsic muscle weakness, tremor, or dystonia.  
13 There are no dystrophic changes of the skin, nails,  
14 or hair. In addition, there is an alternative  
15 diagnosis which better explains Ms. Davis' current  
16 symptoms. Did I read that correctly?

17 A. Yes, sir. If you go to the beginning --

18 Q. Hold on a second. I'm going to ask a  
19 question.

20 A. Okay.

21 Q. When you say there's no objective evidence  
22 of these things, are you referring to in your exam?

23 A. Yes.

24 Q. Okay. You're not referring to the exams  
25 by the other physicians in the medical records?

1           A.    Correct, sir.

2           Q.    All right.  Because if I look through the  
3    medical records, I mean, there is objective evidence  
4    by other physicians of asymmetry and skin color  
5    changes and edema, correct?  I'm just asking if  
6    there are those objective findings.

7           A.    Well, that's a difficult question to  
8    answer, and I'll tell you why.  You see, somebody  
9    may say there's edema, but they don't provide a  
10   measurement and they don't provide an observation to  
11   support that.  Someone may say there's a temperature  
12   change, but they don't supply objective information  
13   to substantiate that.  So you're left wondering on  
14   what basis do you decide there was edema, on what  
15   basis are you assessing a temperature change.  You  
16   can't do it by skin touch.  You can't just put your  
17   hand on someone's skin and say that's a viable  
18   temperature assessment because all that measures is  
19   a difference between my skin temperature and the  
20   patient's skin temperature.

21          Q.    What if it's asymmetric?

22          A.    Then give me a measurement.

23          Q.    I'm saying, can you not tell if you touch  
24    one thing and it's colder than when you touch  
25    another thing?

1           A.    No.  You can't tell by touch.  And that's  
2  a major, major criticism of a lot of the diagnoses.  
3  If I touch your right leg and I touch your left leg  
4  and let's say I perceive a difference in  
5  temperature, A, is that temperature difference  
6  significant and, B, what am I testing?  I'm only  
7  testing the difference between my skin temperature  
8  and your skin temperature, all right, and how fast  
9  the temperature moves through the medium.  In other  
10 words, if I put a piece of metal in this room, it's  
11 room temperature.  It's room temperature.  Yet if I  
12 touch it, it feels cold.  Why?  My skin is room  
13 temperature.

14           Q.    But what if you touch one end of the metal  
15 and it's cold and you touch the other end of the  
16 metal and it's warm?

17           A.    It's not the way you do it.  You do --

18           Q.    Why --

19           A.    Because that's not scientifically valid.  
20 It's not scientifically valid.

21           Q.    What about skin color changes, any  
22 physician notice skin color changes?

23           A.    Yeah, a lot of physicians did.

24           Q.    Okay.  So would you test that in your  
25 office if you noticed skin color changes?

1           A.    Take a picture.

2           Q.    Have you seen any pictures in this case?

3           A.    Not of her, no.  I'd take a picture and  
4 see if there's skin color changes.  I would take --

5           Q.    So you can't rely on a doctor saying it,  
6 they would have to take a picture and give it to  
7 you?

8           A.    Oh, when you provide a second opinion, you  
9 don't rely on anything that anybody says.  In other  
10 words, if I'm seeing this individual and I document  
11 the temperature, you may say it feels warm or it  
12 feels cold or one is different than the other.  I'm  
13 going to give you temperatures to assess whether  
14 there is, in fact, a difference.  One is objective  
15 verification.  The other is just an opinion based on  
16 anecdotal or unscientific measurements.

17          Q.    Let's talk about viewing mottling of the  
18 skin or what is that, allodynia?

19          A.    No, those are two different things  
20 completely.

21          Q.    I'm sorry, that's feels different --

22          A.    No, allodynia doesn't mean feels  
23 different.  Allodynia doesn't mean feeling  
24 different.

25          Q.    Well, what about -- let's just talk about

1 skin color changes.

2 A. All right. Show me a picture.

3 Q. If a doctor says there's skin color  
4 changes, you're going to dismiss that, you need to  
5 see a picture?

6 A. No, if a doctor says there's skin color  
7 changes, I'll accept that he opined there was skin  
8 color changes.

9 Q. But will you use that at all in  
10 formulating your opinion?

11 A. No, because that's his finding, not my  
12 finding. So if you ask me, I need to see the skin  
13 color changes. Just because that doc saw them --  
14 you see, if a patient has a skin color change, has  
15 the same illness ongoing and they have a skin color  
16 change a week ago, I'm going to see it now because  
17 the skin color changes don't come and go. They  
18 don't disappear and come and go and come. They're  
19 there. So if you say there's skin color changes and  
20 you see her at the first of the month, I see her at  
21 the end of the month, I'm going to see skin color  
22 changes.

23 Q. So you're saying that it's a constant skin  
24 color change?

25 A. Not a hundred degree constant where it's

1 there, but you will always see a skin color change.  
2 It does not come and go and disappear depending on  
3 who sees the individual.

4 Q. And what about edema or swelling, does it  
5 come and go?

6 A. No.

7 Q. So you're saying that if somebody has --  
8 again, we're calling it RSD for the purpose of this  
9 deposition.

10 A. Sure.

11 Q. You're saying if they have symptoms, the  
12 symptoms are static?

13 A. They wax and wane. I don't want to use  
14 the word static, it's the same color red or the same  
15 mottling every time you see them. It will wax and  
16 wane like every illness waxes and wanes, but you see  
17 the symptoms.

18 Q. I'm going to go through -- if you look at  
19 the IASP Guides, it proposes 11 objective  
20 characteristics divided into subcategories, page 19  
21 of 23 of your report.

22 A. No, for correction, you're talking about  
23 the AMA Guides. The AMA Guides have eight out of 11  
24 concurrent diagnostic criteria which means, you see,  
25 CRPS isn't a diagnosis where you have a lab value if

1 it's greater than 10, you have it, if it's less than  
2 10, you don't.

3 Q. I understand.

4 A. So, therefore, CRPS is a diagnosis made  
5 basically on a statistical analysis. And I'll give  
6 you what I mean. If you have a cough, no doc is  
7 going to look at you, oh, you have a cough, God  
8 forbid you have lung cancer. No one is going to say  
9 that. That's silly. But suppose you have a cough,  
10 you're a heavy smoker, you've got a lung mass,  
11 you're spitting up blood, you're losing weight,  
12 suddenly that scenario sounds a little bit more like  
13 lung cancer. Now, you have chest pain. No one's  
14 going to say simply because you have chest pain  
15 you've had a heart attack. It could be gallbladder.  
16 It could be ulcer. But you've got chest pain with  
17 exertion, it's like an elephant sitting on your  
18 chest, it's going into your left arm, it's going in  
19 your face, you're feeling your heart pound  
20 erratically, that's a little bit more indicative of  
21 a heart attack. CRPS is the same type of diagnosis.  
22 If you go to your -- I don't know how old you are.  
23 Let's say you go to your -- I'm old enough, you go  
24 to your son's wedding. You wear a new pair of  
25 tuxedo shoes and you're dancing all night and you



1 come home and your feet are swollen and your feet  
2 are red and your feet are sweaty and they hurt and  
3 they're mottled. That doesn't mean you have RSD.  
4 Doesn't mean you have RSD. So you want to look at  
5 the objective criteria. And the more objective  
6 criteria you have, the greater the sensitivity is  
7 that you're correct in making the diagnosis.

8           Now, won't get into the reasons, AMA has  
9 eight out of 11 criteria for much greater  
10 sensitivity. IASP has only one objective criteria,  
11 so their sensitivity is far less. The Budapest  
12 criteria was a move by Harden to increase the number  
13 of objective criteria from one to three to make it a  
14 little bit more sensitive and specific. The  
15 Budapest criteria haven't been voted upon, so  
16 they're not official criteria of the IASP as of yet.  
17 But you can see that the diagnosis of this entity is  
18 based on the number of physical findings you have  
19 supporting the criteria. IASP has basically --  
20 well, they have three sets of criteria. They have  
21 one set if you're doing research, they have a second  
22 set if you're doing clinical, and have a third set  
23 if you're basically not smart enough to figure out  
24 the answer, which is you have no physical findings  
25 but I can't think of anything else except RSD so I'm

1 going to call it RSD. But let's take away that one  
2 because no one really considers that to be a valid  
3 criteria. But IASP has a research criteria and a  
4 clinical criteria. Their research criteria are a  
5 little bit more objective, a little bit more  
6 sensitive.

7 Q. Okay. I'm just going to ask you, when you  
8 look through the medical records -- let's go through  
9 these that's on page 19 of 23.

10 A. Okay.

11 Q. Have you seen any doctors note changes in  
12 skin color which could be considered mottled or  
13 cyanotic skin color? I'm just asking a question.

14 A. I'd have to look specifically to see  
15 whether they noticed it or whether they rely on the  
16 patient who told them that, but let me try to --  
17 it's such a complex case. Let me -- rather than  
18 talk off the top of my head --

19 Q. How about Dr. Stanton-Hicks on August 20th  
20 of 2013?

21 A. Before that, September 3rd, she sees  
22 Dr. Smith who noted mild swelling with erythema.

23 Q. What's erythema?

24 A. Redness.

25 Q. Okay. Only on the one side?

1           A.    It doesn't say.  He just says -- he just  
2 examines the left ankle, doesn't say if there's  
3 anything on the right ankle.

4           Q.    What's erythema?

5           A.    Erythema, redness.  It's red.

6           Q.    So August 9, 2013 Dr. Smith, a  
7 physiatrist, said the exam revealed mild swelling,  
8 erythema?

9           A.    August 5th or August --

10          Q.    August 9th.

11          A.    August 9th.  Mild swelling, erythema,  
12 reduced range of motion, and increased pain compared  
13 to the stimuli with normal sensation, reduced  
14 strength.

15          Q.    She also saw that on September 9th?

16          A.    September 9th, same things on the exam.  
17 Dr. Smith is a physiatrist, but she functions as a  
18 pain manager.  She's a pain manager.  Dr. Caneris,  
19 another pain manager, identifies allodynia, a  
20 purplish discoloration and swelling.

21          Q.    What about Stanton -- are you familiar  
22 with Stanton-Hicks' work?

23          A.    I'm familiar with Stanton-Hicks.  He's at  
24 Cleveland Clinic, I believe.

25          Q.    On August 20, 2014 she went to

1 Stanton-Hicks?

2 A. Let me get there. On exam, there was  
3 tenderness over the spinal cord stimulator site. No  
4 edema. Slight reddish discoloration of the left  
5 foot on the dorsum of the foot.

6 Q. So just even with those examples, it's  
7 fair to say that there are doctors who are seeing  
8 mottled or -- in any event, it would satisfy 1A?

9 A. Of the IASP criteria?

10 Q. Well, I thought you said the AMA  
11 Guidelines?

12 A. Oh, I just want to make sure the one --  
13 the -- in 1A of continuing pain which is  
14 disproportionate, are you talking about that?

15 Q. No, I'm looking at the vasomotor criteria,  
16 page 19 of 23 of your report.

17 A. The vasomotor criteria, that's -- you're  
18 on page 19?

19 Q. Yes.

20 A. That is -- one of the doctors or several  
21 of the doctors talk about mottled or cyanotic skin.  
22 They talk about mottling.

23 Q. Okay. And then have you noticed that any  
24 of the doctors talked about cool skin temperature?

25 A. Yes.

1 Q. I know you don't rely on their opinion?

2 A. Well, they did that, but none of the  
3 doctors took measurements. So I can't accept that  
4 as being a valid assessment, not without  
5 measurements.

6 Q. Okay. So you don't accept it, but they  
7 talk about it?

8 A. They talk about it, yes.

9 Q. Do you know if they took measurements and  
10 it doesn't say it in the record, or you don't know?

11 A. Well, if it doesn't say in the record,  
12 typically they didn't do it.

13 Q. Edema, we see that all over the place,  
14 right?

15 A. Correct, they talk about edema.

16 Q. Okay. So for the vasomotor criteria, we  
17 have doctors talking about A, B and C, correct?

18 A. Yeah, different doctors at different  
19 times, yes, sir.

20 Q. Okay. The Sudomotor criteria, have you  
21 seen anything where it was overly dry or overly  
22 moist skin? If I can help you out, somebody says  
23 that the skin looks slick. Do you recall reading  
24 that in the records?

25 A. No, but I'll assume they say that. I'm

1 just not sure what slick means.

2 Q. Okay. So you're not incorporating that as  
3 having any value, in your opinion?

4 A. I don't know what slick -- slick, as far  
5 as I know, isn't a medical descriptive term.

6 Q. Okay. Trophic criteria, did you see  
7 anything in the records regarding smooth, nonelastic  
8 skin texture?

9 A. Not that I'm aware of.

10 Q. Soft tissue atrophy?

11 A. No.

12 Q. Did you see any issues of atrophy at all  
13 regarding her leg?

14 A. I'm not aware she showed atrophy by  
15 anybody's measurements or observations.

16 Q. Okay. You measured her legs?

17 A. Yes, sir.

18 Q. You didn't notice any atrophy?

19 A. No.

20 Q. And you don't recall any other doctor  
21 mentioning atrophy?

22 A. No, I don't. I wouldn't be surprised if  
23 she did, having the surgeries she's had, but I don't  
24 recall anybody specifically measuring or documenting  
25 the trophic changes.

1 Q. What about joint stiffness with decreased  
2 passive range of motion?

3 A. The joint stiffness with passive range of  
4 motion she didn't have. She has joint stiffness  
5 because of the surgery she's had. And the joint  
6 stiffness is both purely orthopaedic in nature and  
7 also there's reduced movement of the joint secondary  
8 to pain, but it's not intrinsic joint stiffness.

9 Q. Well, it says passive range of motion  
10 joint stiffness?

11 A. Correct.

12 Q. What does that mean, actually?

13 A. Well, the -- it's an intrinsic decrease in  
14 movement. You see, the joint is stiff  
15 intrinsically. So it's not you're not moving  
16 because of pain and it's not you can't move it  
17 because you had surgery, that the joint becomes --  
18 think of it as scarred or fused, that it can't move.  
19 So you passively move the joint. It's not the  
20 patient actively moves it, you passively move it.  
21 And you find it has reduced movement or stiffness in  
22 motion not explained by pain and not explained by an  
23 orthopaedic mechanical issue.

24 Q. Just so I'm clear, when they say no better  
25 alternative diagnosis, do you apply no better

1 alternative diagnosis to each one of these  
2 characteristics on their own?

3 A. No, that's not -- when you're referring to  
4 the IASP criteria, one of the criticisms of IASP is  
5 it has an out. It has an out. So it's no better  
6 alternative diagnosis, which is another way of  
7 saying are you smart enough to come up with the  
8 right diagnosis because when it says no alternative  
9 diagnosis, it means --

10 Q. That's not my question.

11 A. Oh, you don't apply it to each one  
12 individually, you apply it to the entire process.  
13 So in a patient who presents with -- you want to  
14 assess them doing the IASP criteria. And their feet  
15 are swollen, red, hot, edematous, sweaty, slick, you  
16 name it, but they were dancing at a wedding with a  
17 new pair of shoes. Well, an alternative diagnosis  
18 better explains your symptom complex. So you're not  
19 going to diagnose RSD, you're going to give it  
20 another diagnosis.

21 Q. Moving onto the radiographic criteria, do  
22 you see any x-rays with evidence of trophic bone  
23 changes or osteoporosis?

24 A. No.

25 Q. No?



1           A.    I don't believe she had.

2           Q.    Would that be important to your opinion if  
3 she had that?

4           A.    No.  No.  I mean, of course it's important  
5 to the opinion if indeed it's due to the diagnosis  
6 of CRPS.  If you have a patient with three  
7 orthopaedic procedures or two orthopaedic procedures  
8 and they now show bone changes, that's important --  
9 that's an important finding, but you need to  
10 determine is the bone changes due to the orthopaedic  
11 or are the bone changes due to CRPS.

12          Q.    That was what I was asking you, is you're  
13 finding alternative diagnoses for each criteria --

14          A.    No, not for each, for the diagnosis, for  
15 the diagnosis.  It's not can you find an alternative  
16 explanation for A and B and C.  You will -- as you  
17 go through the steps in the diagnosis, you put all  
18 the things in favor of the diagnosis, you put them  
19 in a list, and then you ask yourself is there an  
20 alternative diagnosis that better explains all these  
21 symptoms, not can I find a different excuse for  
22 symptom A, B, C and D, but put them all together, is  
23 there a better explanation.

24          Q.    Okay.  So let's talk about osteopenia  
25 around her ankle in the left tibia and fibula on

1 March 22, 2014. You have it noted on page 10 of  
2 your report.

3 A. Let's look.

4 Q. That was with Dr. Braun, family physician?

5 A. Yes, there is osteopenia around the ankle  
6 on an x-ray.

7 Q. Okay. So is that what they're talking  
8 about in 4A?

9 A. Yes.

10 Q. And then have you seen a bone scan? Now,  
11 when they say a bone scan, do they mean an actual  
12 bone scan or can you use an MRI or an x-ray? Does  
13 it have to be an actual bone scan?

14 A. It has to be an actual bone scan.

15 Q. Had she had any bone scans?

16 A. Something tells me she did, but I can't  
17 remember offhand.

18 Q. Well, let me ask you this: There was an  
19 MRI on September 11, 2013?

20 A. Yes.

21 Q. And there was Achilles hypertrophic  
22 tendinopathy and peritendinitis?

23 A. Yes.

24 Q. Now, my understanding is hypertrophic  
25 tendinopathy means a non-tumorous enlargement of

1 tissue, right?

2 A. Okay.

3 Q. Yes, no?

4 A. Yeah, that's --

5 Q. Well, you tell me what it means.

6 A. Hypertrophic tendinopathy?

7 Q. Yeah.

8 A. Okay. Pathy means a problem. There's a  
9 problem in your tendon and it's hypertrophic,  
10 meaning it's enlarged, so you have an enlarged  
11 tendon. As to why you have it, it doesn't tell you  
12 but the tendon's enlarged.

13 Q. At the end of your paragraph there, which  
14 actually continued on to page 9, it says there was  
15 no marrow reaction or marrow changes as a  
16 manifestation of reflex sympathetic dystrophy?

17 A. Correct.

18 Q. And that was on September 11, 2013,  
19 correct?

20 A. Correct.

21 Q. And then on February 13, 2014, Ms. Davis  
22 went to Good Samaritan Hospital emergency room?

23 A. Yes.

24 Q. And it showed a generalized loss of bone  
25 marrow density around the foot and ankle as well as

1 the distal leg consistent with disuse osteopenia or  
2 RSD?

3 A. Yes, either one.

4 Q. And then it was a month later where there  
5 was swelling in an x-ray, showed the osteopenia  
6 around the ankle?

7 A. You're referring to the MRI of March 26th  
8 of '15 or the x-ray of March 22nd?

9 Q. No, March 22 --

10 A. Osteopenia around the ankle.

11 Q. Right?

12 A. Yes, sir.

13 Q. And I have osteopenia is when the body  
14 doesn't make new bone as quickly as it reabsorbs old  
15 bone?

16 A. Correct.

17 Q. So what is your explanation of the  
18 difference in objective findings between the no  
19 marrow reaction, marrow changes of September 11,  
20 2013 and then seeing these x-rays with the  
21 osteopenia on March 20, 2014? Why would that  
22 happen?

23 A. Well, because they're looking at different  
24 processes. The first is the x-ray shows a thinning  
25 of bone but you don't know why. It could be because

1 of disuse. It could be because of RSD. The MRI  
2 looks at the marrow change itself and is it the type  
3 of marrow change that you would see in RSD. Didn't  
4 see that. So if you put all those x-rays and the  
5 MRI together, it's saying that the atrophy -- that  
6 the osteopenia she has is disuse osteopenia.  
7 There's no good evidence that it's RSD type  
8 osteopenia.

9 Q. What's the degree of temperature change  
10 that would be required to satisfy the objective  
11 finding of temperature change?

12 A. According to the International Association  
13 of the Study of Pain, you want a one-degree  
14 centigrade difference.

15 Q. Did you see that anywhere in the records,  
16 because I just saw it?

17 A. Did I see it anywhere in the records?

18 Q. Well, if you look at Dr. Lee who evaluated  
19 her on April 11th, he said the left foot temperature  
20 was 86 degrees compared to 85 degrees on the right?

21 A. Okay. That's a one-degree Fahrenheit, so  
22 he didn't find it.

23 Q. How do you know it -- well, I guess it  
24 would have to be Fahrenheit, otherwise it would be  
25 in the 20s or --

1           A.    Yeah, it's a one-degree.  Now, that figure  
2   was from the IASP itself.  They published that as to  
3   what temperature asymmetry was significant.  AMA  
4   doesn't stipulate the temperature asymmetry.  IASP  
5   stipulates it has to be more than one-degree  
6   centigrade.

7           Q.    What is low-grade medial tibial stress  
8   syndrome?

9           A.    Low-grade medial tibial syndrome, syndrome  
10  refers to the signs and symptoms you have and tibial  
11  stress is -- it's referring to the tibial part of  
12  the ankle, that there is inflammation in the tibial  
13  tendons, and as a result of that, you've got  
14  discomfort.

15          Q.    There was a bone scan done, it looks like,  
16  right?  I'm referring to Dr. Amis' records,  
17  references a bone scan which demonstrated uptake in  
18  the third and fifth metatarsals and around the  
19  lateral pole of the navicular.  In addition, it  
20  sudden uptake in the subtle talar joint.

21          A.    Now, you're referring to --

22          Q.    Page 13 of your report.

23          A.    Okay.  I just want to get on the same page  
24  as you.  Yes, he references a bone scan which  
25  demonstrated increased uptake in the third to the

1 fifth metatarsals. Now, that's not a triple phase  
2 bone scan, so that bone scan is not used for RSD  
3 determination. It's a triple phase bone scan which  
4 we use in RSD. This --

5 Q. Who uses the triple bone scan for RSD,  
6 everyone, or just you?

7 A. No. I mean, some doctors do, some doctors  
8 don't.

9 Q. Okay. But you don't like it because it's  
10 not the highfalutin triple bone scan that you like?

11 A. No. No. No. No. Okay. I'm mistaken.  
12 In the criteria for RSD put forward by the AMA  
13 Guides, they specifically use triple phase bone scan  
14 findings. And that's because a routine bone scan  
15 doesn't have the ability to assess for RSD. You  
16 can't get that information.

17 Q. Is that a universally-accepted  
18 proposition, what you just said, that you have to  
19 use a triple phase bone scan?

20 A. Well, I'm not aware of anybody using a  
21 routine bone scan for RSD. I can't say whether it's  
22 universally accepted, but in almost 40 years of  
23 practice I've never heard of anybody diagnosing RSD  
24 off of a routine bone scan.

25 Q. Well, nobody would just diagnose RSD off a

1 bone scan, right?

2 A. I've never seen anybody use a regular bone  
3 scan as a diagnostic criteria helping them to reach  
4 it. It's always been a triple phase bone scan. In  
5 fact, when someone does a bone scan and they're  
6 thinking about RSD, you then got to waste the  
7 patient's money and do the triple phase bone scan  
8 because that's a test they should have ordered in  
9 the first place. So to answer your question, I'm  
10 going to say universally, I'm not going to say  
11 you're stupid if you do it, I'm just going to say I  
12 am not aware of anybody using the results of a  
13 routine bone scan as informative for RSD. The bone  
14 scan that Dr. Amis looks -- refers to, it says  
15 there's increased uptake in the third to fifth  
16 metatarsals. You'd wonder whether there was -- that  
17 tells you there's an increase in blood flow in that  
18 area. And if there's an increase in blood flow,  
19 could there be a fracture or a stress fracture in  
20 that area. Very often you'll do a routine bone scan  
21 if you fracture your elbow or you fracture, let's  
22 say, your wrist and you do an x-ray and it's  
23 perfectly normal, a bone scan is one method of  
24 telling you whether there has been a fracture. But  
25 you can't see it on x-ray because there will be



1 increased blood flow in that area because fractures  
2 have increased blood flow. An MRI --

3 Q. Is that what it means by uptake?

4 A. Yes, uptake -- you see when you have a  
5 bone scan, you put radioactive material in your  
6 blood.

7 Q. Okay.

8 A. If there's an increased blood flow to an  
9 area, it will show more radioactivity.

10 Q. What do you expect to see on your triple  
11 bone scan if there's RSD?

12 A. Well, typically it's a delay in the third  
13 phase.

14 Q. I'm sorry, it's a delay in the third  
15 phase?

16 A. Delayed third phase.

17 Q. Which means what?

18 A. Altered blood flow in the third phase.  
19 It's got to be done if so much time -- it's a  
20 radioactive assessment so much time after the  
21 administration of the dye. You test it about an  
22 hour later.

23 Q. And what are you looking for?

24 A. Well, what is -- well, you're looking for  
25 an increased uptake.

1 Q. All right. So the same thing as this one  
2 except this one is just not the fancy --

3 A. Yeah.

4 Q. You don't like it?

5 A. It's not that I don't like it, it's not  
6 used. I mean, it doesn't matter if I like it or not  
7 like it, it's not used.

8 Q. Well, if there's an increased uptake --

9 A. Totally different.

10 Q. If there's an increased uptake in the bone  
11 scan that they're referring to here -- and do we  
12 know if it's a triple -- what did we call it, a  
13 triple bone scan?

14 A. Triple phase.

15 Q. Do we know if this was a triple phase bone  
16 scan or not?

17 A. No.

18 Q. So it could be, you're just assuming that  
19 it wasn't?

20 A. Well, it says bone scan. It doesn't say  
21 triple phase bone scan.

22 Q. Well, this is your summary of the --

23 A. Yeah, it did not say -- we don't have  
24 that -- I never received that report. I only say  
25 Dr. Amis referenced a bone scan. So that the --

1 when I say reference, that means Dr. Amis in a  
2 letter spoke about a bone scan. He didn't speak  
3 about a triple phase bone scan, he spoke about a  
4 bone scan. The two are quite different.

5 Q. And the uptake in the triple phase is what  
6 you're looking for in a diagnostic criteria for the  
7 RSD?

8 A. It's helpful. The problem is bone scans  
9 have such a poor sensitivity and a poor specificity.

10 Q. It's only one diagnostic criteria that you  
11 may be looking for?

12 A. Correct.

13 Q. I understand it's not completely --

14 A. And it's not a good diagnostic criteria.  
15 But having said that, if you see increased uptake in  
16 the third phase of a triple phase bone scan, you  
17 will use that as one of your eight out of 11  
18 diagnostic criteria which will support that  
19 diagnosis.

20 Q. Right. Sort of like mottling in the skin  
21 and edema and the other stuff that all these other  
22 doctors are seeing, correct?

23 A. Correct. Well, let's not go that far.  
24 Let's say the other criteria.

25 Q. Well, let's talk about -- how many doctors

1 saw edema with this young lady's leg?

2 A. Some did, some didn't.

3 Q. How many saw it, more than five?

4 A. I can't answer that. I can't tell you. A  
5 number of doctors quoted edema. A number of doctors  
6 quoted skin color changes. A number of doctors  
7 quoted other changes that they saw.

8 Q. Right.

9 A. Okay.

10 Q. And we have a bone scan that shows uptake.  
11 You don't think the bone -- maybe or maybe not the  
12 bone scan is the triple bone scan that you like or  
13 that you feel is necessary to be diagnostically  
14 relevant?

15 A. Okay.

16 Q. Fair?

17 A. Fair. Okay.

18 Q. I mean, I noticed that as we go through  
19 all these, you know, I mention what other doctors  
20 see and you have a reason to dismiss every one of  
21 them as either a finding or something that you're  
22 not going to rely on, right, because you don't  
23 either like the test or you can't rely on the type  
24 of exam that they did or you didn't see it yourself?

25 A. No, I think that's a mischaracterization.

1 Q. I mean, that seems to be how the testimony  
2 is going.

3 A. Well, that's perhaps how you're leading  
4 the testimony, but that's not how I looked upon it.  
5 The question I asked myself was do I find Ms. Davis  
6 to have reflex sympathetic dystrophy. The answer is  
7 no.

8 Q. Yeah, I know that's your answer.

9 A. Then I said I looked at the various  
10 reports of all the doctors. Do I find that based on  
11 objective findings of those doctors they had  
12 findings of RSD? I don't believe they did. I am  
13 aware that one doctor may find this, one doctor may  
14 find that, some find this and this. I looked at all  
15 that. Applying the diagnostic criteria for RSD to  
16 what they did on that exam, I don't think she has  
17 it.

18 Q. Where does it say in the literature that  
19 you need to do the triple phase bone scan?

20 A. It doesn't say you need to do the triple  
21 phase bone scan. It --

22 Q. That's your opinion?

23 A. No, you asked me -- I pay close attention  
24 to the question. If you look at the AMA Guides, the  
25 AMA Guides talk about a triple phase bone scan. You

1 don't have to do it. You can reach the diagnosis  
2 without a triple phase bone scan. In fact, the IASP  
3 don't even mention the triple phase bone scan.

4 Q. So where did you get the triple phase bone  
5 scan from?

6 A. AMA Guides talk about a triple phase bone  
7 scan as one of the criteria you may use to help you  
8 reach a diagnosis of CRPS.

9 Q. Do they specifically dismiss the use of a  
10 non-triple bone scan instead of using a bone scan?

11 A. They don't specifically dismiss the use.  
12 They don't dismiss the use of tests. They tell you  
13 the tests that is used as a criteria. They don't  
14 dismiss x-ray, bone scan, you name it. This is what  
15 you need. Now, you may want to question can I use  
16 an alternative method, and that's a fair question.  
17 But if you're going to use a bone scan, it's a  
18 triple phase bone scan, not a single phase or  
19 routine bone scan.

20 Q. Yeah, but is there anything that says that  
21 you have to use the triple phase bone scan as  
22 opposed to a regular bone scan?

23 A. There's no literature that talks about the  
24 use, that I am aware of, that talks about the  
25 diagnostic specificity, sensitivity or use of a

1 single bone scan in CRPS diagnosis. I'm not aware  
2 that that even exists.

3 Q. Okay. Can we say that there's bone scan  
4 findings consistent with CRPS?

5 A. No, you can't.

6 Q. But when we're looking for a bone scan  
7 finding consistent with CRPS, we're looking at  
8 uptake?

9 A. You can't use it. If you're asking me can  
10 you use, the answer is no. If you would like to  
11 argue on it, I'm perfectly willing to argue, but the  
12 answer is no.

13 Q. What are bone scan findings that would be  
14 consistent with CRPS?

15 A. Zero. There are no bone scan findings  
16 that are consistent with CRPS because it's --

17 Q. But that's in your AMA Guidelines?

18 A. Triple phase bone scan.

19 Q. No, it doesn't say that here. It says  
20 bone scan findings consistent with CRPS.

21 A. Yeah, that's a triple phase bone scan.  
22 It's a triple phase bone scan. If you look at  
23 the -- there are no findings of CRPS on a routine  
24 bone scan, they're only triple phase bone scans.

25 Q. And that's reuptake?

1           A.    That's delayed phase three.  That's an  
2 altered update on phase three of a triple phase bone  
3 scan.

4           Q.    So if it was, in fact, a delayed phase --

5           A.    Well, if it was -- if it was a triple  
6 phase bone scan and it was a delayed phase three,  
7 then fine, it's indicative.

8           Q.    Okay.  So if it was in this case, then you  
9 would say she has CRPS?

10          A.    No.  No, not at all.  I'd say that  
11 that's -- if I was applying the AMA Guidelines,  
12 that's one of the criteria I would use in trying to  
13 find eight out of 11.

14          Q.    Well, we would have x-ray evidence of  
15 trophic bone changes or osteoporosis.  We would have  
16 the bone scan finding consistent with CRPS.  We  
17 would have mottle skin color, reports of cool skin  
18 temperature, edema, right?  I mean, those are all  
19 things in the records.  Anything else?  I mean, we  
20 have soft -- and you saw no soft tissue atrophy  
21 anywhere in the records?

22          A.    No skin changes.  No hair changes.  No  
23 nail changes.

24          Q.    And --

25          A.    And also if you apply the AMA Guides,



1 you'll see the word concurrent. So they have to be  
2 there at one time in one evaluation, and at no point  
3 does any doctor give you eight concurrent objective  
4 physical findings.

5 Q. So you're saying all eight have to present  
6 at exactly the same time?

7 A. I'm not saying that. The AMA Guides say  
8 that.

9 Q. Well, it's your opinion that I'm  
10 concerned about.

11 A. Well, based on the -- yes, the  
12 interpretation of the AMA Guides says specifically  
13 all eight has to be present concurrently. I guess  
14 one of the differences that we're finding, I try --  
15 I'm trying to give you the basis of my opinion. My  
16 opinion is that you need eight out of 11 concurrent  
17 physical objective findings. The basis of that is  
18 the AMA Guides. IASP does not have that basis.

19 Q. Okay. Well, let's go through the IASP.  
20 Continuing pain which is disproportionate to any  
21 inciting event. I think we have that, right?

22 A. Correct.

23 Q. Then we talk about the presence of  
24 subjective complaints and symptoms. Reports of  
25 hyperesthesia. What is that?

1 A. An increased sensitivity to touch.

2 Q. Okay. So we have that?

3 A. Correct.

4 Q. Reports of temperature asymmetry and/or  
5 skin color changes and/or skin color asymmetry?

6 A. She complains of that.

7 Q. Okay. Reports of edema and/or sweating  
8 changes and/or sweating asymmetry. We have edema,  
9 correct?

10 A. She complains of that.

11 Q. All right. Motor/trophic, reports of  
12 decreased range of motion and/or motor dysfunction  
13 like weakness. We have that?

14 A. Correct.

15 Q. Okay. So that's all of one and all of  
16 two. And then the presence of objective physical  
17 findings. A, evidence of hyperalgesia, to pinprick,  
18 and/or allodynia, to light touch. We have that?

19 A. Well, but that's a problem. You see,  
20 that's not objective. That's subjective. So that's  
21 one of the criticisms of the IASP criteria, so --

22 Q. Well, hold on a second. I'm just asking  
23 if we have that in the record?

24 A. Correct. But you see --

25 Q. No, just hold on a second. I'll let you

1 explain that in a minute, okay?

2 A. Sure.

3 Q. But we have that, right?

4 A. You have complaints of allodynia and  
5 complaints of hyperalgesia.

6 Q. Okay. Evidence of temperature asymmetry  
7 and/or skin color changes and/or asymmetry. We have  
8 that, right?

9 A. No, you don't have that.

10 Q. Skin color changes, we don't have that?

11 A. No, not that I've seen. You have people  
12 talking about that, but not objective documentation.

13 Q. Well, these doctors are writing it down in  
14 their records.

15 A. That's fine.

16 Q. You don't like it because you didn't see  
17 it, but it's in the record, yes?

18 A. Okay. It's in the record.

19 Q. All right. So we have record of that?

20 A. Yeah.

21 Q. Evidence of edema and/or sweating. So  
22 let's see, evidence of edema, do we have that?

23 A. Yeah, you have doctors talking about that.

24 Q. And then D is evidence of decreased range  
25 of motion and/or motor dysfunction?

1           A.    You have people talking about that.

2           Q.    All right.  So we have all of three.  And  
3 the only thing left is no other diagnosis better  
4 explains the patient signs and symptoms, correct?

5           A.    Correct, and you have a better diagnosis.

6           Q.    What other doctor has given what they  
7 believe to be a better diagnosis in this case other  
8 than possibly you?

9           A.    No.  I see most of the pain managers have  
10 given the diagnosis of CRPS.

11          Q.    Correct.  So my question is, is there any  
12 doctor who treated this patient --

13          A.    No.

14          Q.    -- who has a better explanation than  
15 either RSD or CRPS?

16          A.    I don't see that.

17          Q.    Okay.  So you're the only one in this  
18 case?

19          A.    I see I'm the only one who applied the  
20 criteria and find the better answer.

21          Q.    And what is your better explanation?

22          A.    That this is a result of someone who  
23 injured her ankle on multiple occasions, has had two  
24 surgeries, and has not done well.

25          Q.    And you believe that that leads to all of

1 these symptoms?

2 A. Yes.

3 Q. Does that happen a lot to people who  
4 injure their ankle and don't do well, all of these  
5 symptoms, or is it kind of rare?

6 A. No.

7 Q. We get clients, maybe 40 a week, that  
8 injure their ankles and I don't notice all these  
9 symptoms with these people. They don't come up.

10 A. And that proves what?

11 Q. It proves that it must be a very rare  
12 condition that this young lady is suffering from --

13 A. Well, I think that when you injure your  
14 ankle and you do ankle surgery, the majority of  
15 people get better, a minority of people stay the  
16 same, and even a smaller minority get worse. And  
17 those who get worse may have all of the symptoms  
18 that this young lady is complaining of.

19 Q. So what's your diagnosis, within a  
20 reasonable degree of medical certainty, as to what's  
21 going on with this ankle?

22 A. She has a bad ankle. She has the results  
23 of having multiple injuries to her ankle, two  
24 surgeries, a spinal cord stimulator inserted, a  
25 spinal cord stimulator removed. All of those things

1 taken together have ended up -- has made this woman  
2 the way she is.

3 Q. Okay. And is that her fault?

4 A. No, I'm not saying it's anybody's fault,  
5 that just is.

6 Q. What you represented that there's multiple  
7 ankle injuries, when you say ankle injuries, are we  
8 talking trauma -- included are the traumas from the  
9 surgeries or are you saying multiple ankle  
10 injuries --

11 A. Well, I think she has this initial injury.  
12 She gets surgery --

13 Q. What's the initial injury, the fall at the  
14 haunted house, you mean?

15 A. Correct. She has that injury. She has  
16 surgery. She gets better, released from care, then  
17 a month or so later she starts twisting her ankle  
18 and retwists it and retwists it and retwists it.  
19 Ultimately she has another surgery. She continues  
20 to have ankle problems. Let's just take away all  
21 the pain management stuff, which goes to treat pain  
22 as opposed to make a diagnosis of the underlying  
23 cause of the pain, but I think as a result of the  
24 initial injury and the subsequent instability, if I  
25 can use that term, weakness of her ankle, she

1     retwists it, retwists it, retwists it. You look at  
2     the MRI studies that show the progressive changes.  
3     She has surgery, which unfortunately doesn't help  
4     her.

5             Q.     Right.

6             A.     Or initially helped her and now this is  
7     what's left. I don't think it's -- I find no  
8     evidence that she's got CRPS. She has a poor ankle.

9             Q.     Did you review McKenzie Davis' life care  
10    plan?

11            A.     I think so.

12            Q.     Created by Bowing from Vocare Services up  
13    in Cleveland?

14            A.     I don't recall offhand.

15            Q.     Then do you have an opinion, within a  
16    reasonable degree of medical certainty, as to  
17    whether that life care plan is just as sufficient  
18    for the diagnosis that you think is a better  
19    alternative to RSD?

20            A.     No, I can't comment on the life -- that's  
21    not within my level of expertise to comment on  
22    someone's life care plan. I didn't do one.

23            Q.     All right. So do you have a more  
24    scientific or more medical term for bad ankle that  
25    she's suffering with?

1           A.    A lot of doctors will use something like  
2 failed surgical syndrome in that you have -- in the  
3 neck and back we sometimes call it Post-laminectomy  
4 Syndrome. I think you might be familiar with that  
5 term.

6           Q.    Sure.

7           A.    Someone injures their back, you do surgery  
8 on them, and they end up being much worse. And you  
9 try to think of a name. Well, Post-laminectomy  
10 Syndrome, that's the symptoms you have after  
11 surgery. Now, obviously the symptoms are worse in  
12 that case. Well, you can say post ankle surgery  
13 syndrome, and that is someone who has ankle surgery,  
14 has not done well, and these are the signs and  
15 symptoms. There's not really a good descriptive  
16 term.

17          Q.    I understand. Do you have an opinion,  
18 within a reasonable degree of medical certainty, as  
19 to whether the surgery that Dr. Amis performed or  
20 the surgeries that Dr. Amis performed was reasonable  
21 and necessary or not?

22          A.    No, I wasn't asked to do that and I'm not  
23 an orthopaedic surgeon.

24          Q.    So would you be qualified to give that  
25 orthopaedic opinion?



1 A. Well, I'm not an orthopaedic surgeon.

2 Q. I know. I'm looking for a yes or no.

3 A. Well, no, I don't have -- I can't answer  
4 that and I'm not offering an opinion as to the need  
5 for surgery or not.

6 Q. Was the question that you were asked by  
7 defense -- actually, do you have your file here that  
8 I can take a look at?

9 A. Yeah, over here.

10 Q. That's this big stack right here?

11 A. Yes.

12 MR. MAISLIN: Go off the record for a  
13 second.

14 (OFF THE RECORD)

15 BY MR. MAISLIN:

16 Q. I'm looking here at two letters from  
17 Defense Counsel Brad Powell going to you. One's  
18 dated April 12, 2016, one's July 1, 2016. It looks  
19 like the July 1st, the second letter, refers to the  
20 functional capacity evaluation performed by Rick  
21 Wickstrom. Did you rely on his report to any degree  
22 in your --

23 A. No, because Mr. Wickstrom did a functional  
24 capacity. He didn't address whether or not she has  
25 this diagnosis or not.

1 Q. Did you read his report?

2 A. A summary of the -- the financial  
3 conclusions of that report. I didn't have time to  
4 go through all of it in detail.

5 Q. It asks -- this letter, the end of the  
6 first paragraph says, as a result of plaintiff's  
7 alleged fall, she alleges that she sustained the  
8 following injury/conditions; left ankle sprain,  
9 reflex sympathetic dystrophy, RSD/complex regional  
10 pain syndrome, CRPS. The third one is OS trig --

11 A. Trigonum.

12 Q. Trigonum Syndrome. It says that they  
13 provided you with a copy of the life care plan.  
14 They wanted you to review that as well. They gave  
15 you a chronology. It doesn't actually state what  
16 questions you were asked to answer and the opinions  
17 that you're going to be providing at trial. Have we  
18 reviewed all of the opinions that you intend to  
19 provide?

20 A. Well, I would answer it in the following  
21 fashion. I view an opinion as a response to your  
22 question, all right? So if you ask me another time  
23 a question you didn't ask me today, I may have an  
24 opinion on that. Now, having said that, in no way  
25 am I trying to sneak anything around you or

1 misconstrue or hold back opinions to surprise,  
2 et cetera. I've tried to be as forthcoming as I can  
3 as to my reasons. But just be aware if you ask me a  
4 question at a later date which you did not ask me  
5 today, I may have an opinion on that answer.

6 Q. Let me ask it a different way. Why were  
7 you hired in this case?

8 A. Well, I view myself as hired to assess  
9 whether or not this woman had evidence of complex  
10 regional pain syndrome, RSD. Os trigonum ankle  
11 sprain, I think those are orthopaedic diagnoses.  
12 I'll leave that to the orthopaedic people involved.  
13 Complex regional pain syndrome is a neurologic  
14 diagnosis. It's a nervous system problem. Today we  
15 look upon it as a central brain problem, not a  
16 peripheral nerve problem. We know it's not  
17 sympathetic. We know it's not a reflex. We know  
18 it's not a dystrophy. And there's mounting evidence  
19 that it's a central brain problem as opposed to a  
20 peripheral nerve problem.

21 Q. And how does one get CRPS?

22 A. You can get it from any number of reasons.  
23 You can get it from trauma. You can get it from  
24 strokes. You can get it from immobility. You can  
25 get it from other diseases. It's a response to. Of

1 the trauma, it could be any type of trauma. It's  
2 typically peripheral.

3 Q. Meaning?

4 A. Hands and feet. Less likely, but you can  
5 get it in knees. You can get it in ankles. Much  
6 rarer, you can get it in chest, abdomen.

7 Q. And if it's coming from the brain, is  
8 there a psychological component to it?

9 A. Well, don't use the word -- you used the  
10 word psychological as a, quote, unquote, craziness  
11 type thing.

12 Q. Well, I don't mean it like that. I mean,  
13 more like a psychosomatic?

14 A. Well, you can have psychosomatic symptoms  
15 looking like reflex sympathetic dystrophy. However,  
16 be aware that psychological diagnoses basically have  
17 a foundation in organicity, whether or not they're  
18 nerve transmitter problems, whether or not they're  
19 biochemical problems, whether or not they're  
20 electrical problems. But as you can tell with the  
21 diagnosis of -- like schizophrenia which used to be  
22 thought of as a pure psychological diagnosis, now  
23 have a clear-cut metabolic basis, a clear-cut  
24 genetic basis.

25 Q. Psychological is a symptom of many things?

1           A.    Yeah.  So psychological -- you can with a  
2   psychological diagnosis manifest complaints that for  
3   all the world look like complex regional pain  
4   syndrome.  You can have the physical findings, all  
5   the objective physical findings of complex regional  
6   pain syndrome of a purely psychosomatic basis that  
7   we know long-standingly.  However, having said that,  
8   that's just one of the causes of this apparent CRPS  
9   presentation.  It can occur as a result of  
10  peripheral nerve injuries.  It can occur in strokes.  
11  It can occur from a psychological basis of an  
12  illness which has a psychological code which has  
13  some biochemical basis, so there's many, many  
14  causes.

15           Q.    Do you have an opinion, within a  
16  reasonable degree of medical certainty, as to  
17  McKenzie Davis' psychological or psychiatric  
18  condition?

19           A.    Well, I didn't diagnose her.  I didn't  
20  look at her to make that.  I know that she's been  
21  diagnosed in the past as suffering from depression  
22  and anxiety, which she tells me I believe at one  
23  point she was hospitalized with suicidal ideation.  
24  But having said that, I'm not saying her problem is  
25  or is not based on anxiety or based on depression or

1 what have you. I'm not making that claim.

2 Q. I understand. Not relevant one way or the  
3 other to your diagnosis?

4 A. Well, if you ask me is that relevant to  
5 the diagnosis of CRPS, no. May it be relevant to  
6 explain some of her symptoms? Sure, it may be  
7 relevant. As I said before, patients with  
8 depression and anxiety often have heightened  
9 complaints. It doesn't mean she does, but you need  
10 to be aware of that. Patients on chronic narcotics  
11 often have heightened complaints because they need  
12 more narcotics because that's the inherent problem.  
13 So you've got to be aware of that. So you may look  
14 at the patient's complaints and then you have to  
15 marry it or merge it with the objective physical  
16 findings because in this subset of population,  
17 complaints may not be a good measuring gauge of the  
18 severity of their illness.

19 Q. And that doesn't make them bad people or  
20 liars or anything like that?

21 A. No, not at all, not at all. You as a  
22 physician need to be aware of that. It's the old  
23 saying there may be people chasing paranoid  
24 individuals. I mean, you can't disregard -- you  
25 can't simply say, well, she's depressed, throw

1 everything out. You've got to say she's depressed.  
2 It may be influencing what she says, take that into  
3 consideration, but do the workup, do the evaluation.  
4 You're more than welcome to review all those  
5 records.

6 Q. Yeah, that's not my intention. I just  
7 want to make sure -- so we have medical records in  
8 here?

9 A. There's another letter from the attorney.  
10 And I think this one may answer more of your  
11 questions.

12 MR. POWELL: There it is. I thought I  
13 sent him something.

14 Q. Okay. This is a letter dated May 10th  
15 from defense counsel. And we may have covered this,  
16 but one of the questions is what condition -- excuse  
17 me, strike that. What injury or condition did  
18 McKenzie Davis sustain as a direct result of this  
19 accident?

20 A. I don't know if I can answer that question  
21 because I think that question, and I don't think  
22 you'll see an answer in my report, I don't  
23 believe --

24 Q. It just says there's an alternative better  
25 diagnosis.

1           A.    Well, if you -- that's with respect to the  
2   entity of CRPS, RSD.  Now, as a result of the  
3   injury, Dr. Amis talked about an aggravation of an  
4   os trigonum and an ankle sprain.  Now, I don't have  
5   an opinion as to whether he's right or he's wrong.  
6   That's not my area of expertise.  But having said  
7   that, whatever orthopaedic injury she had, whatever  
8   orthopaedic injury she had, Dr. Amis did surgery,  
9   she seemed to get better, she seemed to reinjure,  
10  she seemed to go on and have more problems, more  
11  problems.  And now at the end of all that, someone  
12  says she has CRPS.  Now, I can't tell you -- I think  
13  you'll need to speak to the orthopaedic surgeon as  
14  to what injuries she sustained at the onset.  
15  Clearly she doesn't sustain CRPS at the onset.  Even  
16  if she did -- even if you believe she did have it,  
17  at some point in the process that developed.  So  
18  you -- so I don't think I answered the question as  
19  to what injuries she had at the beginning.  That  
20  would have been an orthopaedic question to answer.  
21  It looked like she got better from whatever injury  
22  it was, evidenced by Dr. Amis' reports and such, and  
23  then she reinjured and had further problems.

24           Q.    You say that's what it looks like from his  
25  reports.  Is that your opinion that she healed from



1 the fall at the haunted house and then reinjured it  
2 or you're just repeating --

3 A. Well, no, when I look over Dr. Amis'  
4 reports, my opinion is that the information tells me  
5 she got better, she was released from care a month  
6 or two later, she goes back to see him and she says  
7 I think I was walking on platform heels or I twisted  
8 my ankle shopping or something like that, and she  
9 developed pain again.

10 Q. But is that your -- I mean, you repeat --  
11 what you're saying is, your opinion is this what you  
12 saw, but I'm saying do you have an opinion or are  
13 you relying on Dr. Amis to provide the opinion  
14 withing a reasonable degree of --

15 A. Oh, I didn't see her at that time, so I'm  
16 saying the medical records -- my opinion is the  
17 medical records of Dr. Amis provide me with that  
18 information.

19 Q. Right.

20 A. I hope that clarifies it for you because I  
21 didn't see her at the time to make an independent  
22 observation of that.

23 Q. So you would rely on Dr. Amis?

24 A. Correct. If he says -- I would rely on  
25 him to -- if I'm mistaken in understanding his

1 opinion, he'll say so.

2 Q. And then it says, do you agree with the  
3 assessment and evaluation regarding the future  
4 treatment and care that Davis may require as set  
5 forth in the life care plan?

6 A. I can't comment on life care plans. I'm  
7 not here to talk about life care plans.

8 MR. MAISLIN: Okay. Fair enough. Let me  
9 take a little bit break and talk with my  
10 associate here.

11 (OFF THE RECORD)

12 BY MR. MAISLIN:

13 Q. I'm finished asking questions. The only  
14 thing I ask, I don't need copies of any of the  
15 medical records, the medical records summary. If I  
16 can just get a copy of everything else like these  
17 letters --

18 A. Let me -- what about I give you copies of  
19 the letters? Do you want the forms that the patient  
20 filled out?

21 Q. Yes, please.

22 A. Okay. And I think that's everything other  
23 than my report, and you have my report.

24 Q. Yeah, and I've got the report. Perfect.

25 A. I'll do that. And usually --

1           MR. POWELL: Are you going to identify  
2           the report for the record? You don't have to,  
3           but --

4           MR. MAISLIN: Well, why don't we just  
5           include that in everything else.

6           THE WITNESS: Can we go off the record?

7           MR. MAISLIN: Sure.

8                           (OFF THE RECORD)

9           (Deposition Exhibit 1 was marked for  
10          identification.)

11          BY MR. MAISLIN:

12           Q. You said that you don't rely on stuff that  
13          attorneys say because subconsciously it's slanted  
14          and it's not anybody's fault, it doesn't matter if  
15          they're plaintiff --

16           A. No, the comment I'm making, a  
17          chronological report of someone -- a nonphysician's  
18          review of medical records is not acceptable to me as  
19          a review of medical records. So when a layperson  
20          reviews medical records, I can't accept it as being  
21          valid because that layperson may subconsciously or  
22          consciously choose records that may or may not favor  
23          or disfavor their opinion. So, I always do my own  
24          medical record review. I never rely on a medical  
25          record review done by anybody else.

1 Q. Where does the subconscious part come in,  
2 how does that work?

3 A. That's just my explanation as to why a  
4 nonmedical person who has a -- may influence  
5 records.

6 Q. But why would they subconsciously  
7 influence the record --

8 A. They may not give me every piece of  
9 information that I find to be necessary.

10 Q. Yeah, but if they do a review, I mean, the  
11 idea is because subconsciously, right, correct me if  
12 I'm wrong, subconsciously they know where they want  
13 to go with a case like a lawyer, and they may  
14 present it in a light --

15 A. I can't trust -- I can't exclude that  
16 possibility, so I just don't feel comfortable. And  
17 it's never been my practice to accept on face value  
18 someone else's medical record review whether it's --

19 Q. Yeah, but you went farther than that when  
20 we were talking before. You said you can't rely on  
21 anything that an attorney sends you because why?

22 A. Okay. We were talking off the record and  
23 I was saying that when a defense or plaintiff  
24 attorney sends me records, I cannot -- I am  
25 concerned that they might slant the records to favor

1 their opinion.

2 Q. And that happens sometimes even if they're  
3 not trying to do that, correct?

4 A. That may happen.

5 Q. Subconsciously --

6 A. I'm not saying they're doing it on  
7 purpose. They may just -- they may say that this  
8 information is more important than that information  
9 and I'm just giving you the important information.  
10 However, as a physician, what someone else considers  
11 important may not be what I consider important or I  
12 may consider things important that they do not. And  
13 if you're asking me to review a file, I'm going to  
14 review the file. I'm not trusting what you do or  
15 you do or anybody else does.

16 Q. I understand. And in this case, you feel  
17 that you are not subject to the subconscious issues  
18 that --

19 A. Well, the way I get around that, you see,  
20 the way I get around that is when I give you an  
21 opinion, you're perfectly correct to challenge that  
22 opinion. But I go a step further. I give you the  
23 scientific medical basis of that opinion. When I do  
24 that, I no longer have the luxury of picking and  
25 choosing. This is a science behind my opinion. You

1 may not agree with the science, that's your right,  
2 but at least I'm giving you the science behind my  
3 opinion. So I prevent myself from having a  
4 subconscious bias one way or the other because --

5 Q. Well, subconscious, you believe that  
6 you're --

7 A. Well, I believe I protect myself from a  
8 subconscious bias by giving you the medical basis of  
9 that opinion. And if my opinion doesn't hold up to  
10 the medical basis, you're right in disregarding it.

11 Q. Everybody's got an opinion, right?

12 A. Well, everybody has an opinion. But you  
13 see, I don't view this -- when you asked me -- I  
14 look upon it as more than just my opinion. I look  
15 upon it as the standard of care, the consensus  
16 medical opinion, the majority of doctors follow this  
17 type of recommendations or what have you. I mean,  
18 you can find in the medical literature proof of  
19 almost anything you want. There's always -- you  
20 know, using bee venom to treat coughs or what have  
21 you. But the majority consensus and medical  
22 opinion, I try to stick to that. And by giving you  
23 the scientific basis of my opinion, I divorce myself  
24 from potential bias.

25 Q. Are you able to exclude RSD as we're

1 calling it in this case, are you able to exclude  
2 that diagnosis totally regarding McKenzie Davis or  
3 you don't have enough information so that other  
4 things may prove to be --

5 A. No, I can exclude the diagnosis. I don't  
6 find evidence she has RSD at all. I mean, I'm fully  
7 aware that others have made the diagnosis. They're  
8 entitled to their opinion. But if you're asking me  
9 when I apply criteria, she doesn't have it. She's  
10 got an alternative explanation. And Lord knows  
11 she's been seen by every different doctor who's  
12 given her multitudes of treatments. And if you talk  
13 to this woman, not one of them has worked. She gets  
14 a honeymoon effect, a placebo effect and then  
15 everything wears off and she's back to square one  
16 and they do the same things, same things. You just  
17 talk to this woman and she'll -- nothing has worked.  
18 Nothing has worked.

19 Q. Have you ever found RSD patients, it's  
20 very difficult to treat them?

21 A. Oh, it's a very difficult treatment.

22 Q. Is there any RSD patients where it appears  
23 that nothing works?

24 A. There's always something that works,  
25 always something that gets you better. It may not

1 get you as better as much as you want because, of  
2 course, you want to be 100 percent better. So it's  
3 an illness where inherently the patient's going to  
4 be dissatisfied because patients want to be totally  
5 better. With RSD, the mainstay of treatment, the  
6 mainstay of treatment is aggressive mobilization.  
7 It goes back to what Mr. Nike said, use it, lose it.  
8 Aggressive mobilization, not physical therapy three  
9 types a week, physical therapy and home therapy and  
10 exercises six hours a day. I mean, aggressive, with  
11 appropriate use of medications. Some information on  
12 some -- some medications will help reduce some of  
13 the symptoms, but be aware there is no -- there is  
14 no treatment for curing you of RSD. Not like you  
15 have pneumonia, I'll give you antibiotics, I can  
16 cure you. Everything that is done for a patient  
17 with RSD is symptomatic treatment.

18 MR. MAISLIN: All right. I'm finished.

19 Thanks.

20 EXAMINATION

21 BY MR. POWELL:

22 Q. There was a chronology sent to you by my  
23 office, correct?

24 A. Right.

25 Q. Did you read it?



1 A. No.

2 Q. So you didn't rely on it in forming any of  
3 your opinions in this case?

4 A. None, whatsoever. I didn't read it at  
5 all. In fact, to be honest with you, I didn't look  
6 at it.

7 Q. Did you and I even talk before you issued  
8 your report?

9 A. Did we talk?

10 Q. Did you and I talk before you issued your  
11 report?

12 A. I think we spoke at the very beginning,  
13 not about whether I issued my report or anything  
14 like that. I talked to you about -- I mean, you  
15 called the office, you scheduled, but at no point  
16 did we talk about the substance of the report or  
17 what my opinion is. I mean, I said she didn't have  
18 RSD.

19 Q. I spoke to your office, correct?

20 A. I guess you did, yeah.

21 Q. I didn't speak to you before you issued  
22 your report?

23 A. Probably not, yeah. But the office, you  
24 called the office and spoke probably to --

25 Q. Right. I spoke to the office, but I

1 didn't speak to you, is that correct, before you  
2 issued your report?

3 A. Correct.

4 MR. POWELL: All right.

5 (Witness excused.)

6 (Deposition concluded at 11:50 a.m.)

7

8

9 Signature expressly waived

10 DR. GERALD STEIMAN

DATE

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 )

2 STATE OF OHIO )

3 )

4 I, Mindy Davis, Notary Public for the State of  
5 Ohio, do hereby certify:

6 That the witness named in the deposition, prior  
7 to being examined, was by me duly sworn;

8 That said deposition was taken before me at the  
9 time and place therein set forth and was taken down  
10 by me in shorthand and thereafter transcribed into  
11 typewriting under my direction and supervision;

12 That said deposition is a true record of the  
13 testimony given by the witness and of all objections  
14 made at the time of the examination.

15 I further certify that I am neither counsel for  
16 nor related to any party to said action, nor in any  
17 way interested in the outcome thereof.

18 IN WITNESS WHEREOF I have subscribed my name  
19 and affixed my seal this 6th day of September, 2016.

20

21

22 MINDY DAVIS

23 Notary Public

24 My Commission expires: 04/03/21

25