

IN THE COURT OF COMMON PLEAS

HAMILTON COUNTY, OHIO

CASE NUMBER: A1402190

JUDGE STEVEN E. MARTIN

MCKENZIE DAVIS

PLAINTIFF

vs.

DELHI TOWNSHIP, OHIO, ET AL.

DEFENDANTS

* * * * *

DEPONENT: RICHARD WICKSTROM, PT, DPT, CPE, CDMS

DATE: SEPTEMBER 14, 2016

* * * * *

Mindy Davis

Certified Court Reporter

Barlow Reporting & Video Service, LLC

620 Washington Street
Covington, Kentucky 41011
(859)261-8440

1	INDEX	
2		Page
3	Direct Examination By Mr. Powell	4
4	Cross-Examination By Mr. Maislin	56

5	EXHIBITS	
6		Page
7	Defendant's Exhibit 1	6
	Defendant's Exhibit 2	16
8	Defendant's Exhibit 3	18
	Defendant's Exhibit 4	20
9	Defendant's Exhibit 5	31
	Defendant's Exhibit 6	37
10	Defendant's Exhibit 7	37
	Defendant's Exhibit 8	37
11	Defendant's Exhibit 9	37
	Defendant's Exhibit 10	37
12	Defendant's Exhibit 11	37
	Defendant's Exhibit 12	37
13	Defendant's Exhibit 13	38
	Defendant's Exhibit 14	38
14	Defendant's Exhibit 15	38
	Defendant's Exhibit 16	38
15	Defendant's Exhibit 17	48

16

17

18

19

20

21

22

23

24

25

1 The videotaped deposition of RICHARD WICKSTROM,
2 PT, DPT, CPE, CDMS, taken for the purpose of
3 discovery and/or use as evidence in the within
4 action, pursuant to notice, heretofore taken at the
5 office of WorkAbility Center, 7665 Monarch Court,
6 #109, West Chester, Ohio, on September 14, 2016, at
7 9:00 a.m., upon oral examination, and to be used in
8 accordance with the Ohio Rules of Civil Procedure.

9 * * * * *

10 APPEARANCES

11 ATTORNEY FOR PLAINTIFF:

12 Blake R. Maislin, Esq.
13 LAW OFFICES OF BLAKE R. MAISLIN, LLC
14 2260 Francis Lane
15 Cincinnati, Ohio 45206
16 (513)721-5555

17 ATTORNEY FOR DEFENDANTS:

18 Bradley A. Powell, Esq.
19 Richard J. Rinear, Esq.
20 DRODER & MILLER
21 125 West Central Parkway
22 Cincinnati, Ohio 45202
23 (513)721-1504

24 VIDEO TECHNICIAN: Connie Adkins-Ihle

25 * * * * *

1 VIDEO TECHNICIAN: We are on videotape
2 record. Today is Wednesday, September the
3 14th, 2016. The time is 9:04 a.m. Would the
4 attorneys now introduce themselves and state
5 who they represent?

6 MR. POWELL: Brad Powell and Rick Rinear
7 for the defendants.

8 MR. MAISLIN: And Blake Maislin for the
9 plaintiff.

10 VIDEO TECHNICIAN: Would the court
11 reporter please swear in the witness?

12 RICHARD WICKSTROM, PT, DPT, CPE, CDMS,
13 called on behalf of the Defendants, after having
14 been first duly sworn, was examined and deposed as
15 follows:

16 DIRECT EXAMINATION

17 BY MR. POWELL:

18 Q. Good morning. Would you state your name
19 for the jury, please?

20 A. My name is Richard Joseph Wickstrom.

21 Q. What is your profession?

22 A. I'm a licensed physical therapist. I'm a
23 doctor of physical therapy, a certified professional
24 ergonomist and a certified disability management
25 specialist.

1 Q. How are you employed?

2 A. I'm employed through my private practice,
3 WorkAbility Systems.

4 Q. Is that the name of your business?

5 A. That's -- yeah, that's my private
6 practice, which is part of a network that I also own
7 called WorkAbility Network.

8 Q. Where is your office located, where are we
9 today?

10 A. We're at my office called WorkAbility
11 Center at 7665 Monarch Court, West Chester, Ohio,
12 Suite 109.

13 Q. Would you tell the ladies and gentlemen of
14 the jury your educational background?

15 A. Sure. I have a doctorate in physical
16 therapy from Alabama State University. And
17 actually, my undergraduate degree back in 1982 was
18 from -- was in physical therapy from the Ohio State
19 University.

20 Q. What is your training and experience as a
21 physical therapist?

22 A. Well, as a physical therapist, I have --
23 initially did -- when I graduated in 1982, I worked
24 in an outpatient county hospital in Durham, North
25 Carolina called Durham County General Hospital

1 basically doing acute care and outpatient
2 orthopaedics. Then we moved to Cincinnati, Ohio
3 where since I've moved to Cincinnati, I've done a
4 variety of different physical therapy settings.
5 I've worked in skilled nursing facilities, providing
6 care at -- I worked for Drake Center helping,
7 assisting with their industrial rehabilitation
8 services. I started one of the first work hardening
9 programs in Cincinnati through the Department of
10 Orthopaedic Surgery at the University of Cincinnati,
11 and then from there essentially went into private
12 practice back in the late '80s, early -- late
13 1980's, early 1990's.

14 Q. Are you licensed to practice physical
15 therapy in the State of Ohio?

16 A. Yes, I am.

17 (Defendant's Exhibit 1 was marked for
18 identification.)

19 Q. I'm going to hand you what's been marked
20 as Defendant's Exhibit 1 and ask if you can identify
21 this for the record, please?

22 A. Sure. This is a copy of my most recent
23 curriculum vitae.

24 Q. Does the curriculum vitae contain your
25 educational background, your training and experience

1 as a licensed physical therapist?

2 A. It does.

3 Q. Does it also reference the memberships
4 that you are or were a member of?

5 A. Yes, it does.

6 Q. And could you briefly describe for the
7 ladies and gentlemen of the jury some of those
8 memberships?

9 A. Sure. For the American Physical Therapy
10 Association, which is the association that
11 represents physical therapists throughout the
12 country, I've served in a variety of roles. I've
13 worked with -- I've served as their key liaison or
14 contact with the Ohio Bureau of Workers' Comp on
15 payment and policy kinds of matters. I've also
16 served on the board of directors for the
17 occupational health special interest group serving
18 as both the director of practice and also membership
19 with them. And in my capacity of doing that, led
20 several work groups; one of which is serving as the
21 lead editor for the APTA Functional Capacity
22 Evaluation Guideline.

23 Q. When were you serving as the lead editor
24 for the Functional Capacity Evaluation Guideline?

25 A. That guideline was basically introduced

1 around 2009.

2 Q. And is that article or that publication
3 what you and others who perform functional capacity
4 evaluations follow?

5 A. Yes, it is. It's the practice guideline
6 for physical therapists that perform functional
7 capacity evaluations.

8 Q. Dr. Wickstrom, would you describe your
9 practice? What exactly do you do?

10 A. Well, I have really quite a varied
11 practice. On any given day or any given week, I
12 could be at the work site performing functional job
13 analysis. This afternoon I'm going to a work site
14 to work with a firefighter that's at work exercising
15 in a transitional work plan. I perform functional
16 capacity evaluations for a variety of different
17 referral sources. I will -- I'm leading a software
18 build right now that essentially relates worker
19 abilities to job demands. It's called Worker Fit.
20 And also basically interact with all the providers
21 in our WorkAbility network to kind of like review
22 their documentation and provide feedback to them to
23 promote better quality of occupational health
24 services that they're performing.

25 Q. And I heard you mention functional

1 capacity. Before we go much further with your
2 deposition, can you explain to the jury what is
3 functional capacity?

4 A. Sure. Well, I would say that you can
5 think of functional capacity as a bridge between the
6 medical evaluation of impairment and how an injury
7 or illness affects the persons to perform work or
8 important lifestyle activities. So it includes, of
9 course, a review of the health history and a
10 physical exam, but we go far beyond that and we
11 actually do objective testing of things like grip
12 strength, pinch strength, hand dexterity, ability to
13 lift in different positions, agility. So it's a
14 more performance-oriented evaluation that reflects
15 what the person is actually able or willing to do
16 rather than just sort of a report of subjective
17 complaints.

18 Q. So the functional capacity evaluation that
19 you perform, is that to determine what a person's
20 ability to function on certain types of activities
21 of daily living are?

22 A. Correct. I mean, not just daily living,
23 but also any type of work activities. So oftentimes
24 our -- the information that we provide is a
25 foundation for job placement or whether -- or

1 development of a vocational rehab plan to direct the
2 person to a suitable job. So it's more oriented
3 towards the physically -- physical demands of
4 employment or important activities of daily living.

5 Q. So in those circumstances, you're taking
6 the job tasks or functions of a particular
7 occupation or profession and applying your
8 functional capacity evaluation to see if that person
9 is able to perform those functions or tasks
10 associated with their occupation?

11 A. Yes. When I have a -- when I'm provided
12 with a specific job for comparison, I would look at
13 the job demands kind of in the -- kind of
14 characterize the job demands in the same way that I
15 would be evaluating the person, matching them sort
16 of apples to apples on all the different factors
17 that are important for a successful physical
18 performance in the job.

19 Q. With respect to the functional capacity
20 evaluation or FCE, what qualifications does somebody
21 have to have in order to perform these evaluations?

22 A. Well, the -- you have to have the skills
23 of doing patient examination and specialty training
24 related to administering these performance-based
25 tests. Typically most exams are done by a physical

1 or an occupational therapist. The entry level
2 background for a physical therapist is now the
3 doctoral presentation, but this evaluation requires
4 other skill sets that need to be built beyond what
5 the entry level preparation is. So you got to know
6 how to evaluate the functional demands of the job,
7 because that's what you're comparing to a person,
8 and you got to be able to administer all these
9 different kinds of tests that relate to that and
10 document that in a way that reveals the person's
11 physical functioning.

12 Q. How do you go about obtaining
13 qualifications to perform a functional capacity
14 evaluation?

15 A. Well, just like in any profession, you go
16 to continuing education programs that are taught by
17 experts in functional capacity evaluation.

18 Q. Do you need a license or a certification
19 to perform these functional capacity evaluations?

20 A. Yes, in general you need a license or
21 certification to perform those evaluations.

22 Q. Are these functional capacity evaluations
23 standardized?

24 A. Well, there are different methods for
25 doing functional capacity evaluations. The

1 guidelines for performing a quality exam have been
2 standardized by the American Physical Therapy
3 Association in terms of what elements and how to
4 best approach important aspects of administering the
5 exam.

6 Q. With respect to the Functional Capacity
7 Evaluation Guidelines that you helped edit and
8 publish for the American Physical Therapist
9 Association, are these the Functional Capacity
10 Evaluation Guidelines that others in your field use?

11 A. Yes, it's the best available guideline or
12 standard that's available for performing these
13 evaluations at this time.

14 Q. Who do you perform functional capacity
15 evaluations for?

16 A. I perform them for a variety of sources.
17 I'm the lead -- one of the lead examiners for this
18 entire region of Cincinnati, Dayton, Springfield,
19 even Columbus to some extent, for what's called
20 Opportunities for Ohioans with Disabilities. It's a
21 state agency that serves individuals that are trying
22 to return to work that have more significant
23 disabilities. I also do evaluations for -- at the
24 referral of attending physicians, like orthopaedic
25 surgery practices. Pain management doctors will

1 refer individuals to me for this evaluation,
2 individuals that are applying for different kinds of
3 disability benefits as well, companies that have
4 workers that are struggling to perform their tasks
5 or maybe not able to work may initiate the referral.
6 It's quite a variety of different referral sources.

7 Q. Do you perform functional capacity
8 evaluations for individuals who are involved in
9 litigation?

10 A. I do.

11 Q. And do you perform them on behalf of the
12 plaintiff or the defendant or both?

13 A. I do, I do them on behalf of both
14 plaintiff and defendants.

15 Q. Can you give us an estimate of the
16 percentage of functional capacity evaluations
17 performed on behalf of the plaintiff versus the
18 defendant?

19 A. I'd say that my case mix, at least it
20 varies on any given time or year, but I'd say it's
21 probably -- I probably get almost an equal number of
22 referrals for plaintiff or defense. I don't
23 specifically track that in my practice.

24 Q. With respect to the functional capacity
25 evaluation you perform for plaintiffs or defendants,

1 is there any difference?

2 A. No, I perform the same evaluation in
3 either case.

4 Q. Dr. Wickstrom, have you performed
5 functional capacity evaluations on individuals who
6 have been diagnosed with reflex sympathetic
7 dystrophy or complex regional pain syndrome?

8 A. I have.

9 Q. And before we start getting into the
10 actual evaluation in this case, have you been
11 recognized by the Hamilton County Court of Common
12 Pleas as an expert witness qualified to render
13 opinions as to functional capacity?

14 MR. MAISLIN: Objection.

15 A. I have testified in that court
16 jurisdiction and been recognized as an expert.

17 MR. MAISLIN: Objection.

18 Q. And that was particular to the functional
19 capacity evaluation that you performed?

20 A. Yes, it was.

21 MR. MAISLIN: Objection.

22 Q. At my request, did you perform a
23 functional capacity evaluation on McKenzie Davis?

24 A. I did.

25 Q. You may refer to your report, Doctor, if

1 it assists you in answering these questions. When
2 did you perform your functional capacity evaluation
3 on McKenzie Davis?

4 A. The date of my examination on McKenzie
5 Davis was 5/13 of 2016.

6 Q. What did I ask you to do?

7 A. You asked me to evaluate her functional or
8 physical capacities as it relates to work and other
9 important activities of daily living.

10 Q. Was the functional capacity evaluation you
11 performed on McKenzie Davis the same or similar as
12 the functional capacity evaluations you normally
13 perform or otherwise perform for these other
14 individuals?

15 A. It was.

16 MR. POWELL: Could we go off the record?

17 VIDEO TECHNICIAN: We're off the record.

18 (OFF THE RECORD)

19 VIDEO TECHNICIAN: We are back on the
20 record.

21 BY MR. POWELL:

22 Q. Dr. Wickstrom, did you prepare a report
23 outlining the functional capacity evaluation you
24 performed on McKenzie Davis, the information you
25 obtained during your evaluation, and the opinions

1 generated with respect to your functional capacity
2 evaluation?

3 A. I did.

4 (Defendant's Exhibit 2 was marked for
5 identification.)

6 Q. And let me hand you what's been marked as
7 Defendant's Exhibit 2 and ask if you can identify
8 this document?

9 A. That's the report that I provided to your
10 office.

11 Q. What's the date of the report?

12 A. The date is 6/9 of 2016.

13 Q. What I'd like to do, Dr. Wickstrom, is I'd
14 like for you to explain to the ladies and gentlemen
15 of the jury your functional capacity evaluation of
16 McKenzie Davis, and I'd like to go through basically
17 what you did --

18 A. Okay.

19 Q. -- whatever the easiest way is. But
20 before we do that, did you inform her to notify you
21 if any aspect of your evaluation or examination
22 caused her pain or discomfort?

23 A. Yes, I did. She signed an informed
24 consent that outlined a number of conditions for --
25 or requirements for exam and participation.

1 Q. Did you obtain a medical history from her?

2 A. I did.

3 Q. And did you obtain a medical history from
4 any other source besides McKenzie herself?

5 A. Yes, her mother was present with her
6 during the interview and provided some information.

7 Q. What information was provided to you in
8 the medical history section that you found to be
9 significant with respect to your functional capacity
10 evaluation?

11 A. Well, the medical history provided a brief
12 description of what went on from the beginning or
13 onset of her condition to present in her words or
14 her terms. And that's my style as an examiner, is
15 to sort of get initial impressions from the person
16 and then go back and look at the records.

17 Q. Did the information that she provided to
18 you assist in forming the background of what was
19 going on with McKenzie as she presented to you in
20 May of 2016?

21 A. Yes, it gave me a sense for maybe what to
22 expect and what areas of the exam that I need to
23 direct more attention to.

24 Q. Okay. So let's talk then about what you
25 did. I'm going to mark as Exhibit 3 -- you had some

1 photographs contained in your report, correct?

2 A. Yes.

3 (Defendant's Exhibit 3 was marked for
4 identification.)

5 Q. All right. And so I just want to go
6 through some of these and just show the camera.
7 What is Exhibit 3? Why did you take that
8 photograph?

9 A. Well, it's my habit to kind of capture the
10 person as they present to me at times during the
11 intake interview. Just -- it's kind of a people
12 first kind of thing that I find just helps the
13 person reading the report understand who the subject
14 is and how they're presenting themselves.

15 Q. You also list on there under appearance
16 during intake, it also illustrates the posture?

17 A. Yes, it does. So, for example, you know,
18 some of the time that McKenzie participated during
19 the intake interview she was sitting and at times
20 she would periodically stand up. And so that
21 basically illustrates her standing posture and
22 her -- how she normally stands.

23 Q. Okay. So you introduce yourself, you take
24 a picture of her, you explain what you're going to
25 do to her and her mother?

1 A. Yes, I do.

2 Q. Okay. So why don't we go through and
3 start discussing then your evaluation.

4 A. Sure.

5 Q. Go ahead.

6 A. Okay. So I'll ask a variety of --
7 basically during the first part of the evaluation
8 process, she'll complete a series of intake
9 interview types of forms, and I'll go through those
10 forms reviewing just things that relate to like her
11 medications or that she's got any impending injury
12 claims or is she receiving any kind of disability
13 benefits, what her most recent job activities are.

14 Q. And let's talk about that. Under most
15 recent job activities, what's the significance of
16 you reporting that she was completing her RN program
17 at Good Samaritan College of Nursing?

18 A. Well, it speaks to her current level of
19 lifestyle functioning. She -- I went through her
20 activities and her experiences, how she got through
21 that program, to help understand any difficulties
22 she was experiencing as a result of her condition.

23 Q. And with respect to the purpose of this
24 particular functional capacity evaluation, did this
25 provide confirmation that the job or the occupation

1 at issue was nursing?

2 A. Yes, it did. She had just, in fact, on
3 the date prior to my exam, she just graduated from
4 her BSN, or from her nursing certificate program.
5 And she also was quite proud of that, and told me
6 that she graduated in the top eight of 65 to 70
7 candidates and that she'd already lined up a job as
8 what they call a patient care attendant during that
9 intermediate period where they're waiting for her to
10 get her board results, board exam results. And that
11 as soon as they got confirmation, then she would be
12 transferred to a regular nursing position --

13 Q. Okay.

14 A. -- at UC.

15 Q. And then she also referenced that part of
16 this RN program she was required to work 12-hour
17 shifts?

18 A. Yes. In fact, she just accepted a job as
19 a patient care attendant working a 12-hour shift.
20 So part of her internships involved working 12-hour
21 shifts and she was entering a part-time employment
22 that required 12-hour shifts.

23 Q. All right. The next section of your
24 report is titled job match demands.

25 (Defendant's Exhibit 4 was marked for

1 identification.)

2 Q. And I'm going to hand you what's been
3 marked as Exhibit 4. And just show the jury just so
4 they know what that is. So would you explain to the
5 jury what the job match demand section is?

6 A. Sure. The job demands section essentially
7 represents important areas of physical functioning
8 or demands for that type of job that she's going
9 into. So there are three sections; materials
10 handling, physical aptitudes and work tolerances.
11 And it basically represents a combination of my
12 experience evaluating these kinds of nursing
13 positions and also discussing that with McKenzie and
14 what she experienced during her internships and
15 requirements. So that's what this represents. And
16 we've also cross-referenced this to what's called
17 the Dictionary of Occupational Titles description,
18 which basically also represents, this is what they
19 call a medium level physical demand job.

20 Q. Okay. And you also have underneath this
21 section occupational references. Is that just
22 describing what the nursing job entails?

23 A. Correct. It's a description that was
24 developed by the -- through the Department of Labor,
25 the Dictionary of Occupational Titles. And that

1 actual description was probably developed long
2 before they had really great patient handling,
3 equipment and devices, but it's -- it basically
4 represents that the work demands is medium.

5 Q. In your experience as a licensed physical
6 therapist and licensed functional capacity
7 evaluator, have you worked with nurses before?

8 A. I have. I've worked with nurses and nurse
9 aides which -- in all kinds of healthcare settings.

10 Q. Okay. Let's talk about -- the next
11 section I would like to point out is the comorbidity
12 survey.

13 A. Sure.

14 Q. What is that?

15 A. Well, I do a comorbidity survey to
16 determine all the different conditions that may
17 impact physical functioning. Sometimes when people
18 are referred to me for a functional capacity
19 evaluation, they only have specific claims
20 allowances for, say, a work-related injury and other
21 people have other problems. So this is a
22 self-survey that just kind of represents what the
23 person's reported comorbidities are.

24 Q. Okay. And you have, have this problem,
25 any recent treatment, or limits any activities?

1 A. That's correct. So they identify whether
2 they have the problem, then if they have the problem
3 or they've had any recent treatment for the problem,
4 and then if they've had recent treatment, whether it
5 limits any important life activities.

6 Q. With respect to this comorbidity survey,
7 are you just looking for current issues or issues
8 that may have been experienced in the past?

9 A. These are -- this is really focused on
10 current issues. And this is largely just taking the
11 results of her self-reported comorbidity
12 questionnaire that she completed on intake.

13 Q. And in this section, she didn't talk to
14 you about -- or, I'm sorry, she didn't mark having
15 anxiety or depression, correct?

16 A. No, she didn't.

17 Q. And she didn't mark back pain or other
18 spine condition, correct?

19 A. No, she didn't.

20 Q. And do you recall her otherwise talking to
21 you about whether she had experienced or was
22 experiencing anxiety or depression?

23 A. She did. We did discuss that to a limited
24 extent.

25 Q. But it wasn't marked on this comorbidity

1 survey?

2 A. But she didn't mark it on the comorbidity
3 survey.

4 Q. And the same question with respect to back
5 pain, did she discuss with you, even though she
6 didn't mark it down, that she had back pain issues?

7 A. She -- when I asked her what her recent
8 symptoms were, they were basically left foot and
9 ankle pain, left foot and ankle swelling. She
10 reported she had color changes to her foot and other
11 sensory disturbance, that she had hypersensitivity
12 and rashes, and that she had trouble sleeping at
13 night due to left ankle pain and allergies and
14 shortness of breath and heartburn. That was the
15 extent of symptoms that she reported to me.

16 Q. All right. What about the brief history
17 of injury or disability, is this where she described
18 for you what has been going on with respect to her
19 medical issues?

20 A. Yes, it's basically my best effort to put
21 together a short summary based on what she's
22 describing to me at the time of the exam in a
23 somewhat chronological fashion, so I have a big
24 picture, understanding of what happened or what
25 she's gone through since the onset of the injury.

1 Q. Did you -- well, in here you note that she
2 fell on vacation to Italy in 2013 and twisted her
3 left ankle?

4 A. I did.

5 Q. Did she provide you with information
6 regarding any other falls that she experienced after
7 this particular one which occurred in September of
8 2012? Other than the one that she reported to you
9 and that you've noted in Italy in 2013, did she
10 provide you with any additional information on any
11 other slips, trips or falls?

12 A. No.

13 Q. And in here you note that she reported to
14 you that Dr. Amis indicated that she had full-blown
15 RSD. Is that what she told you that Dr. Amis told
16 her she had full-blown RSD?

17 A. That's what she reported to me. This
18 brief history is largely from interviewing her and
19 her mother.

20 Q. Okay. And then with respect to these
21 recent symptoms, and we'll get into your examination
22 in a little bit, you did perform an examination,
23 correct?

24 A. I did.

25 Q. All right. These recent symptoms, I think

1 you said this is what she reported to you as having
2 experienced as a result of this injury --

3 A. Yes.

4 Q. -- within the past month?

5 A. Yes.

6 Q. Okay. And then you have a section where
7 you talk about recent therapy, medications, things
8 like that. Is that what she reported to you in
9 terms of the type of medical treatment she was
10 receiving?

11 A. Yes, it is.

12 Q. With respect to the hospitalizations or
13 emergency care during the past year, did she tell
14 you that she did not go to the hospital since the
15 beginning of 2015?

16 A. Yes, she did.

17 Q. So let me back up on this report.
18 Activities-specific balance confidence, what is
19 that?

20 A. Well, that's basically a person's
21 perceived balancing ability. So it's a common
22 screening tool that's used with -- even more with
23 elderly folks, but it's basically the person's
24 perception or their self-report of how confident
25 they are when they perform this short series of

1 balance activities. It's been validated against
2 other research stuff like falls and things like
3 that.

4 Q. And are you asking her to give you how
5 confident she is in these circumstances of not
6 falling or falling?

7 A. That's correct.

8 Q. All right. Tell the jury what this is and
9 what you found.

10 A. So if the person was completely confident,
11 for example, standing on a chair and reaching for
12 something, they would put 100 percent. And if they
13 wouldn't even attempt the activity because they're
14 fearful of falling or balance, they put a zero
15 percent. And they can choose a number anywhere
16 between zero and 100 percent. But it gives me a
17 quick sense for how confident they feel about their
18 own balance.

19 Q. Okay. And in McKenzie's case, what did
20 she tell you her confidence level was with respect
21 to these various circumstances?

22 A. Well, she was 80 percent confident
23 standing on her tiptoes and reaching for something
24 overhead, 20 percent confident standing on a chair
25 reaching for something, 90 percent confident if she

1 was bumped like walking through a crowded shopping
2 area, 70 percent if stepping on and off an escalator
3 but holding the railing, 50 percent if she didn't
4 have the railing to hold onto, and then 20 percent
5 on icy sidewalks. It's a fairly, I don't know,
6 consistent rating pattern of perception based on the
7 relative difficulty of these activities.

8 Q. Also in your report you have relevant
9 diagnostics/records findings. Doctor, I'm not going
10 to ask you to go through all of them, but does this
11 section list the medical records that you reviewed
12 as part of your evaluation of McKenzie?

13 A. Yes, it does.

14 Q. And in addition to -- and these records
15 came from my office. I provided those records to
16 you, correct?

17 A. Yes, you did.

18 Q. And in addition to these records, did I
19 provide you a summary that I prepared of the medical
20 records?

21 A. Yes, you did.

22 Q. Did you rely on in any way the information
23 contained in the summary from my office in reaching
24 your opinions in this case?

25 A. No.

1 Q. With respect to recent physical
2 activities, tell the jury what this section is.

3 A. Sure. The recent physical activity
4 basically reports, again, her rating of activity
5 level that she's currently engaging in. There's, I
6 think, nine -- seven levels of physical activity.
7 She's reporting that she has a light level of
8 physical activity, which means basically that she's
9 able to walk and take steps and things along those
10 lines. So she's active and being up, moving about
11 type of thing. It's not a high-exercising level.
12 That would be a moderate level of physical activity
13 where she's doing -- she's not doing any type of
14 aerobic exercise. So she's basically relatively
15 inactive, but walking about through daily
16 activities.

17 Q. Okay. And then above that is the wellness
18 check. And you have that this is a brief
19 self-report of factors that are relevant to
20 wellness?

21 A. Yes.

22 Q. And explain that for the jury, please.

23 A. Well, this is just a self-report of -- or
24 quick pulse, if you will, on how she feels about her
25 overall quality of life, what she reports as fair,

1 her mental health that she reports as fair, her
2 physical health that she reports as fair, her
3 home --

4 Q. No, wait. Wait. Wait. Physical health,
5 read that again.

6 A. Oh, I'm sorry, she reports her physical
7 health is poor, my apologies. Her home functioning
8 that she reports is fair and her job satisfaction
9 which she reports as good, sleep quality that she
10 reports as fair. Asked about things like tobacco
11 use, which she doesn't smoke tobacco products.
12 Consumes less than one drink per week for alcohol
13 use. She says that she takes drugs for mood about
14 one time per day and that she takes drugs for pain
15 over two times per day. And then at the bottom she
16 also incidentally reported that she gained about
17 70 percent -- 70 pounds during her injury and wants
18 to improve her physical fitness and lose weight.

19 Q. What's the purpose of this section of the
20 wellness check, what information are you trying to
21 obtain for your evaluation?

22 A. Well, I really utilize that section to
23 identify what sort of the priority areas are that
24 she wants to change or improve to improve her
25 physical functioning. We're always -- as physical

1 therapists we're always looking for triggers that
2 will motivate people to comply with exercise and
3 other things within our plan of care. So I use that
4 section to identify what are the important elements
5 that are most important to them as far as overall
6 functioning.

7 (Defendant's Exhibit 5 was marked for
8 identification.)

9 Q. All right. The next section is recent
10 lifestyle activities. And I'm going to hand you
11 what's been marked as Defendant's Exhibit 5. And
12 can you identify, please?

13 A. Sure. These are the results of a
14 questionnaire that she completed in advance of the
15 appointment that I went through and basically
16 clarified some of her responses to understand better
17 why she rated those the way she did, but it's a
18 perceived report of her physical and lifestyle types
19 of functioning.

20 Q. Okay. And I don't want to go through all
21 of them, but where you have no difficulty, mild
22 difficulty, moderate difficulty and so forth, severe
23 difficulty, these are her self-reports of her
24 ability to perform these lifestyle activities?

25 A. Yes, they are.

1 Q. Okay. And to the right of that, is there
2 an explanation such as sitting 30 minutes at a time?
3 She writes -- or it's two, moderate difficulty, and
4 then to the right of that it says lower back gets
5 uncomfortable. Was that her explanation to you as
6 to why she had moderate difficulty for sitting 30
7 minutes at a time?

8 A. That's correct. When someone reports
9 something as being moderate, severely difficult or
10 unable, I ask the question why, why is that
11 difficult to you. And I put that explanation in
12 there so that I can better understand how her
13 conditioning is affecting her perceived ability to
14 function.

15 Q. And then you have a score, 57 percent?

16 A. I do.

17 Q. What does that mean?

18 A. Well, there are 25 questions and there are
19 essentially four levels of rating on each question.
20 So the extent -- it basically scores the rating and
21 arrives at a percentage on there, and that's the
22 main purpose, just to give an objective number.
23 When we treat people in physical therapy, we're
24 asked to show how we've improved something or what
25 are the functional outcomes for our care. And so

1 that percent kind of helps show where we've made a
2 difference in terms of their lifestyle functioning.

3 Q. Okay. Why don't you go ahead then,
4 Doctor, with going on with your report? Just kind
5 of take us through, you know, after you obtained
6 this information on the lifestyle activities, just
7 take us through the information you obtained under
8 these various sections.

9 A. Sure. Well, the first section that I
10 assess is communication affect and cognition. It's
11 more of a broad assessment just to see am I having
12 any difficulties with communicating with her, and
13 the answer was, no, she has normal communication.
14 Was there orientation, you know, did she know the
15 date, time, was she oriented to person, place and
16 time, and I had no difficulties there as well. And
17 that's perfectly understandable given how well she
18 did in her nursing program. And then her emotional
19 or behavioral responses, and I essentially marked
20 that as normal or abnormal. In this case I marked
21 it as abnormal. I made a few incidental
22 observations that she was fidgeting in sitting,
23 asked her about that and she said it was because of
24 back pain and she got up periodically during my
25 evaluation. Then I asked -- just tried to get a

1 sense for how much her pain, her perceived or
2 subjective reported pain changes within the past
3 month. I asked her basically what's your pain level
4 as we're sitting and talking together, and she said
5 it was a six out of a 10, which six is kind of the
6 upper end of moderate. And then I asked her where
7 that pain was, and she said it was primarily in the
8 bottom of her left foot. And then I asked, well,
9 what's the highest it's been in the past month and
10 she reported -- and she reported it was a 10 out of
11 10. Ten is as high as the scale goes. And then I
12 asked what the lowest was during the past month and
13 then also just within the past 24 hours what the
14 highest her pain has been, and she said it was a
15 nine out of 10.

16 Q. What was the lowest the pain was over the
17 last month?

18 A. The lowest was a zero. So at times she
19 has no pain or reports no pain. Then we move on to
20 basically vital signs. She -- heart rate and vital
21 signs were in relatively normal ranges or expected
22 ranges for someone that's not real actively engaged
23 in aerobic activities. Then we checked her body
24 size measurements, and her weight was the most
25 significant area there. She's 267 pounds, which is

1 in the -- based on BMI, that would be considered
2 morbidly obese, a BMI of 42.4. And then I do a
3 movement screen, a movement screen in a variety of
4 different positions, things like closing your hands,
5 opening your hands, bringing your thumbs across,
6 raising your arms up like this, touching your
7 shoulders, bending forward, kneeling, along those
8 lines. And I use that movement screen to determine
9 which areas of the body I want to investigate or
10 evaluate more thoroughly.

11 Q. So in this case, it looks like her upper
12 extremity movement was normal?

13 A. It was completely normal.

14 Q. There was a rotate torso in stand. You
15 have fair?

16 A. Yes, fair meaning it was mildly
17 restricted.

18 Q. Okay. And then when you started doing the
19 lower extremity, that movement test, that's when
20 they became a little more -- well, you found some
21 problems, you noticed some problems.

22 A. Well, the only area that really jumped out
23 a little bit during the lower extremity movements --
24 because all these other ones were mild restrictions
25 that I would typically refer to a health or fitness

1 instructor to work with her to coach her on
2 exercise, but she did have poor toe walking in place
3 on the left side. That was the only one that was in
4 the poor range.

5 Q. She was able to heel walk normally on
6 both --

7 A. She was able to heel walk in a normal
8 manner.

9 Q. Okay. And then you have overall score
10 83 percent, and you classify that as medium?

11 A. Yes. We score that in a similar way.
12 There's essentially 25 movements total that are
13 rated from zero to four, so it can add up to a total
14 score out of 100.

15 Q. And then you have inconsistent, maybe.
16 What does that mean?

17 A. Well, in looking at the movement screen, I
18 also contrast that with the -- she reported from a
19 comment standpoint, reported she had left calf and
20 shin and ankle pain. I thought it was pretty
21 remarkable that she was able to heel walk in a
22 pretty normal manner. And some of this is just --
23 when I say maybe, that sometimes pain or reports of
24 pain explains movement limitation. So when pain is
25 a major limiting factor, then that can reduce the

1 consistency of the evaluation of the person on a
2 given day's test and they have no pain, they might
3 perform that movement better.

4 Q. Did she report left calf, shin and ankle
5 pain to you that day?

6 A. She did during that evaluation. That was
7 the main area that limited her function.

8 MR. POWELL: Let's go off the record.

9 VIDEO TECHNICIAN: We are off the record.

10 (OFF THE RECORD)

11 VIDEO TECHNICIAN: We are back on record.

12 (Defendant's Exhibit 6 was marked for
13 identification.)

14 (Defendant's Exhibit 7 was marked for
15 identification.)

16 (Defendant's Exhibit 8 was marked for
17 identification.)

18 (Defendant's Exhibit 9 was marked for
19 identification.)

20 (Defendant's Exhibit 10 was marked for
21 identification.)

22 (Defendant's Exhibit 11 was marked for
23 identification.)

24 (Defendant's Exhibit 12 was marked for
25 identification.)

1 (Defendant's Exhibit 13 was marked for
2 identification.)

3 (Defendant's Exhibit 14 was marked for
4 identification.)

5 (Defendant's Exhibit 15 was marked for
6 identification.)

7 (Defendant's Exhibit 16 was marked for
8 identification.)

9 BY MR. POWELL:

10 Q. Dr. Wickstrom, when we were off the
11 record, I had an opportunity to mark some of the
12 photographs that you took during your examination or
13 evaluation of McKenzie. And what I'd like to do for
14 the ladies and gentlemen of the jury is for you to
15 show them each photograph and just describe what you
16 are attempting to depict with each photograph, okay?
17 So let's start with Exhibit 6.

18 A. Sure. Exhibit 6 is the rotate torso in
19 stand and to -- and this is just a real broad, quick
20 check of the ability to kind of rotate through the
21 ankles, knees, hips and spine while in a standing
22 position.

23 Q. Exhibit 7?

24 A. This is the same movement, it's just in
25 the other direction.

1 Q. Okay. Exhibit 8?

2 A. This is the diagonal bend over movement.
3 So it -- the criteria for this movement is to be
4 able to touch the floor in front of the feet without
5 noticeably bending the knees too much, and she
6 demonstrates a perfectly normal movement pattern in
7 this.

8 Q. Exhibit 9?

9 A. And this is the same movement performed in
10 the other direction. That's relevant to both back
11 and -- primarily back function.

12 Q. Exhibit 10?

13 A. This is a step back to kneeling maneuver,
14 and basically indicates how much assistance she
15 needs to kind of step back and kneel down. She used
16 her arms for only incidental support to step back to
17 kneeling.

18 Q. And Exhibit 11?

19 A. And this is the same movement performed
20 with the other leg. So in this case the -- all the
21 powering up is done on the right leg whereas the one
22 before the powering up was done on the left.

23 Q. Exhibit 12?

24 A. This is a deep squat movement, and it
25 basically indicates how low a person is able to

1 squat to the floor. And in her case, she was able
2 to perform the movement to criteria, but she was a
3 little bit slow regarding getting up.

4 Q. Exhibit 13 and Exhibit 14?

5 A. I guess I could show you those both
6 together. So Exhibit 13 has her performing what I
7 call the dorsiflexion maneuver with both feet, so
8 you have a comparative measure of active range of
9 motion with her knees extended. And then Exhibit 14
10 is performing the plantarflexion movement or
11 pointing your feet down, pointing your toes, with a
12 comparative measure left versus right.

13 Q. Exhibit 15?

14 A. This really cuts to the chase of the
15 physical exam. It shows what her upper extremity
16 flexibility is when she's lifting an empty box
17 overhead. So it demonstrates any movement
18 limitations through the upper quarter doing this
19 task.

20 Q. And then Defendant's Exhibit 16?

21 A. And, again, this is like the functional
22 movement to perform a squat or a box lift, and it
23 shows how it kind of relates to that physical exam
24 measure, but her ability to kind of bend and squat
25 to pick up something from a lower level.

1 Q. And, again, you did photograph these
2 movements, but you also obviously observed them
3 while she was doing these movements, correct?

4 A. I did.

5 Q. And during these movements, did she report
6 to you complaints of pain?

7 A. During some of the movements she reported
8 complaints of pain. I didn't specifically
9 itemize -- I did the movement screen like all at
10 once. And then at the end of the movement screen, I
11 said did any areas of pain limit your function. And
12 so that was where on the movement screen the left
13 calf, shin, and ankle pain were her primary
14 complaints during that movement.

15 Q. So she did report to you that during some
16 of these movements, she experienced pain, correct?

17 A. She did.

18 Q. However, she was still able to perform
19 these particular movements?

20 A. She was.

21 Q. Okay.

22 A. That's really why I said it was maybe
23 inconsistent because her lifestyle report of
24 limitations were more significant than what I
25 expected to find when I had her do the movement

1 screen. I thought she was functioning better when
2 she was actually doing the tasks for me than what
3 she was reporting in her lifestyle.

4 Q. Did you have an opportunity to examine her
5 left foot and ankle?

6 A. I did.

7 Q. Did you observe skin discoloration?

8 A. No, I didn't.

9 Q. Did you touch her ankle, left ankle?

10 A. I did.

11 Q. Did you touch her right ankle?

12 A. I did.

13 Q. Did you notice any temperature
14 differential?

15 A. No, I didn't.

16 Q. Were there any skin changes such as
17 lesions or rashes, a shiny appearance to it?

18 A. No, there weren't.

19 Q. Any changes in her toenail, brittle?

20 A. Not that I could tell.

21 Q. Are you familiar with the signs and
22 symptoms of RSD in terms of having evaluated other
23 individuals who have RSD and/or CRPS?

24 A. Yes, I am.

25 Q. Did you observe any signs consistent with

1 the diagnosis of RSD or CRPS while you observed her
2 that day?

3 MR. MAISLIN: Objection.

4 A. Not objective signs.

5 Q. What --

6 A. She reported hypersensitivity, which is --

7 Q. Okay. So when you were performing your
8 examination, she was telling you that that either
9 caused pain or that she was very sensitive in that
10 area?

11 A. Yes.

12 Q. Okay. Now, you also, moving on, did a
13 spine and pelvis exam, a lower extremity exam, grip
14 strength, tripod pinch strength, keyboard speed
15 test, so forth and so on, Doctor. I guess what I
16 would like to do is go through some of them. I
17 don't want to go through all of them, but, for
18 instance, a spine and pelvis exam, what were you
19 doing and what was the purpose of these particular
20 examinations?

21 A. Well, during the examination process,
22 whenever there's a movement that's restricted, like,
23 for example, she had trouble with torso rotation and
24 a little bit of tightness of torso rotation
25 standing. So I felt like it was relevant to check

1 her spine, do what we call an upper quarter or low
2 quarter screen of the spine to see, you know, are
3 there any differences in reflexes, are there any
4 abnormalities in movement. And so I did a back exam
5 because that came up as one area of the movement
6 screen and checked her reflexes for that.

7 Q. And then you noted the findings for --
8 were abnormal in terms of inspection. You have, two
9 scars on lower back for spinal cord stimulator.

10 A. That's correct.

11 Q. Was there any other basis for an abnormal
12 finding other than these two scars?

13 A. No.

14 Q. Okay. I'll get to reflexes in a second.
15 The sensation, abnormal, was that referencing the
16 ankle reflex test that you performed?

17 A. Well, actually the sensation was kind of
18 referencing her hypersensitivity. I don't know that
19 it specifically says that right above it.

20 Q. Okay. Then the range of motion in her
21 back you have as abnormal. And explain that.

22 A. Well, she's morbidly obese, so she's got
23 more of a sacral tilt, more of what we call lumbar
24 lordosis. And she has normal flexion and actually
25 normal extension in side bending. The main

1 abnormality there is just that she has more of an
2 exaggerated lumbar curve which is a function of her
3 morbid obesity and likely lower abdominal weakness.

4 Q. Okay. Then let's talk now about the
5 reflexes where you have abnormal. Explain to the
6 jury what you were testing and then in this
7 particular case what happened.

8 A. Okay. So I -- first I tested her patellar
9 reflexes.

10 Q. That's the knee?

11 A. That's the knees. Those were normal.
12 Then I -- the way that I do the ankle reflex, I have
13 the person basically in a standing position kind of
14 kneel with one knee on the chair and I just tap the
15 back of the heel cord with the reflex hammer. So I
16 test -- it's my pattern to kind of test the right
17 side first and then test -- which is her unaffected
18 side, so that she knows what to expect when I test
19 the left side. So right side no problem, left side
20 no problem, no indication there was going to be any
21 difficulty. But when I tapped the left Achilles
22 tendon, she had a pretty dramatic response, dramatic
23 behavioral response. She began to cry
24 uncontrollably. Her mother basically got down on
25 her hands and knees and kind of like hugged her like

1 a baby and, you know, tell her -- and gave her like
2 pain management types of instructions like count to
3 10 and breathe. And in tapping it, there wasn't a
4 normal -- you know, I wasn't able to get an Achilles
5 jerk response with it, but that's what triggered
6 this emotional or behavioral incident during my
7 evaluation which was most unusual.

8 Q. You reference that there was no ankle jerk
9 reflex?

10 A. That's correct.

11 Q. And what's the explanation or do you know
12 what the explanation for that was?

13 MR. MAISLIN: Objection.

14 Q. Just do you know?

15 MR. MAISLIN: Objection.

16 A. Well, I don't know the exact explanation
17 for it. I mean, there's potential reasons for that.

18 MR. MAISLIN: Objection. Move to strike.

19 Q. The next examination was a lower extremity
20 examination, correct?

21 A. Yes, just on the -- yes, it is.

22 Q. All right. Let's go into that. What did
23 you do and what did you find?

24 A. Essentially looked at her ankle and noted
25 the left lateral ankle scar, noted that she had some

1 mild disuse atrophy of the calf and she had mild,
2 very mild swelling that I measured through a figure
3 eight girth type of measurement. I didn't see any
4 color changes when I, you know, compared the two
5 feet.

6 Q. What is heel cord tightness?

7 A. Well, heel cord tightness can be due to
8 tightness -- it's tightness of the tendon that goes
9 from the gastrosoleus muscle group, and she had a
10 history of a tendon lengthening procedure. So that
11 was, I thought, reasonably consistent with her
12 reported history to me.

13 Q. The left cord -- left heel cord tightness?

14 A. Right, essentially calf tightness more so
15 than ankle tightness, per se.

16 Q. All right. And I don't want to go into
17 the rest of these strength tests that you have on
18 page 13, 14, 15, but do these tests represent upper
19 extremity tests and lower extremity tests that you
20 performed on McKenzie?

21 A. Yes, they do, and her functioning was
22 completely normal.

23 Q. On all these tests?

24 A. Correct.

25 Q. All right. So then let's go to the

1 consistency of performance section on page 15. What
2 is this? What are you looking for? What are you
3 trying to -- what information are you trying to
4 provide, page 16?

5 A. You want me on page 16? Okay. Well,
6 that's basically a summary of factors that I
7 observed or that concerned me for the evaluation.
8 For example, I checked off that she's got magnified
9 pain ratings, you know. She had reported pain
10 ratings as high as nine within the past 24 hours and
11 as high as 10 within the past 30 days. I checked
12 off that she had nonanatomic or superficial
13 tenderness. That's really referring to the
14 hypersensitivity response which is more of like a
15 stocking glove kind of pattern to me in her entire
16 left foot. And then also checked that she had
17 excessive pain behaviors or overreaction, which the
18 best example for that is how she reacted to the
19 light tapping of her heel cord with the reflex
20 hammer.

21 (Defendant's Exhibit 17 was marked for
22 identification.)

23 Q. The next thing I'd like to do, Doctor, is
24 hand you what's been marked as Defendant's
25 Exhibit 17 and ask for you to identify that

1 document, please?

2 A. So this is the last page or the summary
3 page of the functional capacity evaluation report.
4 And essentially when the doctor -- just like with an
5 x-ray, they'll have a diagnostic at the bottom of
6 the x-ray that summarizes the results, and that's
7 what this page does for a functional capacity
8 evaluation. In this case it summarizes the physical
9 ability factors on this side, and next to those
10 factors is a column for worker which reflects what
11 her -- what her safe capabilities are. And then it
12 has a column for job match which reflects -- if I'm
13 matching her abilities to a specific occupation, in
14 this case I was looking at the registered nurse,
15 then it would have the ratings for those same
16 abilities going down. And then we have a couple of
17 sections that relate to whether I feel like she
18 needs to have permanent restrictions, whether she's
19 reached maximum -- you know, what her functional
20 progress has been, and then a more detailed summary
21 information about -- that further explains her work
22 abilities and her progress, and then signed at the
23 bottom by me. If she was referred to me by a --
24 like her attending healthcare provider, then there
25 might even also be a sign-off place for the doctor

1 to review it and sign if they agree with the
2 recommendations that I've provided as well.

3 Q. Well, when you compare the information
4 under the worker column to the information in the
5 job match column, were you able to arrive at any
6 conclusions in terms of her workability?

7 A. Yes, I was.

8 Q. And what is that?

9 A. Well, essentially in every area of
10 physical functioning, with the exception of
11 ambulation agility, she's matching or exceeding her
12 job demands. So the only factor that didn't match
13 was ambulation agility. And I measured ambulation
14 agility on our two square agility test.

15 Q. And you already testified that nursing,
16 you believe, is in the medium range for agility?

17 A. Some nursing jobs require a medium range
18 of agility. They tend to be the more active nursing
19 positions. For example, you know, if I had a life
20 flight nurse, I'd want that person to have at least
21 medium agility.

22 Q. But nevertheless, Dr. Wickstrom, other
23 than -- we'll talk a little more about this
24 ambulation agility information, but otherwise from a
25 functional standpoint, based upon your evaluation,

1 she was able to meet the job requirements and
2 demands of a nurse?

3 A. Essentially she met all of the job demands
4 that covered the majority of nursing positions that
5 I've analyzed.

6 MR. MAISLIN: Objection. Move to strike.

7 Q. And then the further explanation section,
8 tell us what that is.

9 A. Sure.

10 MR. MAISLIN: Objection.

11 A. Well, it's really my executive summary of
12 the exam. My first statement always addresses
13 cooperation because if someone doesn't cooperate, it
14 sort of equals garbage in equals garbage out.

15 Q. Was she cooperative?

16 A. She was cooperative with me.

17 Q. Did she give you what you perceived to be
18 her best effort?

19 A. I felt that she gave me a pretty
20 reasonable effort throughout the entire evaluation
21 in terms of when I had her actually do activities.

22 Q. Do you believe she was truthful with you?

23 A. I believe that she was reasonably truthful
24 with me too. I -- you know, when I checked the
25 records against what the reported history was, it

1 seemed to be reasonably consistent with the sequence
2 of events that she was providing to me.

3 Q. So you don't have any issue with her level
4 of cooperation, her level of effort, the information
5 that she was providing to you, you felt she was
6 forthright?

7 A. I did, and I felt like I got a pretty good
8 exam.

9 Q. Okay. And does the section further
10 explanation, does that reference or summarize all
11 the information that you obtained either from
12 McKenzie in the history or your review of the
13 medical records and your observations during her
14 functional capacity evaluation itself?

15 A. It reflects sort of a final overall
16 summary for the entire exam.

17 Q. Okay. Doctor, I'm going to ask you an
18 opinion, and for your response I would like for you
19 to base your answer on your education, training and
20 experience as a licensed physical therapist, on your
21 licensed physical -- I'm sorry, functional capacity
22 evaluation and your education as having a doctorate
23 in physical therapy and to base your opinion on the
24 information you obtained during the functional
25 capacity evaluation. Will you agree to do that?

1 A. I will.

2 Q. And to a reasonable degree of physical
3 therapy and ergonomic probability?

4 A. Yes, I will.

5 Q. Okay. Do you have an opinion as to
6 whether McKenzie Davis is physically capable of
7 performing the functions of a nurse?

8 A. My opinion is that she's able to perform
9 most of the assignments, most, many of the RN
10 occupations without any need for restriction or
11 accommodation.

12 Q. And with respect to most, how or why are
13 you qualifying your response?

14 A. Well, there's just a few nursing
15 assignments that require -- that literally require
16 the person to have a little bit better ambulation
17 agility that maybe involve more extensive walking
18 that are likely to be -- that may be a challenge for
19 McKenzie Davis based on her current state of
20 deconditioning.

21 Q. All right. And that's what I was going to
22 ask you, Dr. Wickstrom. With respect to the
23 ambulation or agility that you referenced that she
24 is in the light level versus the medium level, do
25 you have an opinion as to -- from a functional

1 capacity standpoint -- as to the reasons for that
2 lower performance level or lower ability to ambulate
3 or the agility?

4 A. I do.

5 Q. And what is that?

6 A. Well, she is generally deconditioned and a
7 big factor is that she's morbidly obese. It's
8 really hard -- the agility test that I do has a
9 person stepping back and forth across a marked tape.
10 And it's kind of like stopping a big truck, you
11 know. When you're changing directions and that kind
12 of thing, you're not going to have the -- be able to
13 move that mass back and forth quickly as a result of
14 her weight. So she's very heavy and that has a
15 direct impact on her ability to move quickly.

16 Q. With respect to her ability to function in
17 terms of the testing and the examinations that you
18 performed and the movements you observed, was she
19 restricted or limited by her complaints of pain?

20 A. To some extent during some of the
21 activities, yes.

22 Q. Was she still able to perform those
23 activities such as the movements?

24 A. Yes. I was quite struck by her ability to
25 lift 50 pounds in a deep squat position from a lower

1 level. That's a remarkable level of function for --
2 considering her brief history that she provided to
3 me and then also just for her age and sex. I mean,
4 it's not easy for most -- it probably puts her in
5 well over the 50th percentile of women for her age
6 and sex to be able to lift 50 pounds from a lower
7 level.

8 Q. From a functional capacity standpoint
9 then, Doctor, does McKenzie have any restrictions or
10 limitations that would affect her ability to perform
11 activities of daily living?

12 A. Not the basic or the instrumental
13 activities of daily living. I wouldn't expect that
14 she would be limited in any of those usual
15 activities.

16 Q. Again, are you qualifying your response
17 based upon your findings of limited ambulation and
18 agility due to her weight?

19 A. Well, I'm considering that. I mean,
20 there's just -- I mean, she is heavy, but she can
21 still do the basic activities of daily living. Will
22 she experience some pain or discomfort? Yes, but
23 I'm saying that functionally she's capable of
24 performing her usual activities of daily living.

25 MR. POWELL: Thank you. I have no

1 further questions.

2 CROSS-EXAMINATION

3 BY MR. MAISLIN:

4 Q. Hi, Doctor. Good afternoon. My name's
5 Blake Maislin. I had an opportunity to take your
6 deposition once before, and I think you pretty much
7 covered everything with defense counsel. There's
8 just a couple of things that I want to go over.

9 Objective findings that you made regarding
10 McKenzie's left ankle included swelling or edema,
11 correct?

12 A. That's correct, she had mild swelling and
13 edema.

14 Q. Okay. She had some atrophy. And what is
15 atrophy?

16 A. Atrophy is a reduced girth of the muscle
17 bulk.

18 Q. I mean, what is atrophy, is it a deadening
19 or is it a lessening, what is atrophy?

20 A. Well, atrophy just suggests that the
21 muscle -- that the muscle bulk or size of the muscle
22 is somewhat smaller than, say, the comparative side.

23 Q. Okay. So in this case, she had atrophy on
24 the left side where she also had the swelling
25 compared to the right side? Yes?

1 A. Yes.

2 Q. So -- and, I'm sorry, that was a bad
3 question. So she had swelling on the left, but not
4 on the right, correct?

5 A. That's correct.

6 Q. And she had atrophy on the left, not on
7 the right, correct?

8 A. That's correct.

9 Q. And she had no ankle reflex on the left,
10 but she did on the right?

11 A. That's correct.

12 Q. And she had left calf tightness, did not
13 have that on the right?

14 A. Yes, that is true.

15 Q. She had pain in the left lower extremity
16 that she told you about. She did not suggest that
17 she had any pain on the right?

18 A. That's correct.

19 Q. And, in fact, I think even when you were
20 doing your testing, you found some instability on
21 the left, not on the right. Left ankle, left lower
22 extremity there was some -- I don't know if
23 instability is the right word, but some altered gait
24 on the left compared to the right?

25 A. Well, her movement screen was somewhat

1 abnormal on the left compared to the right.

2 Q. I'm sorry, that's what I meant, the
3 movement screen.

4 A. Okay. Yeah, it wasn't necessarily
5 instability because --

6 Q. Right. Okay. I'm sorry I called it
7 instability.

8 A. That's okay.

9 Q. We mentioned that you thought that
10 McKenzie gave you a full, appropriate effort with
11 you during all of this four-hour exam, right?

12 A. I did.

13 Q. Okay. And she seemed honest. She wasn't
14 trying to intentionally deceive you, correct?

15 A. That's correct.

16 Q. Is McKenzie making a lost wage claim in
17 the case, do you know?

18 A. I don't know.

19 Q. It wouldn't surprise you, based on
20 everything that you found, that she is not making a
21 lost wage claim, correct?

22 A. I'm just trying to digest your question
23 the way you stated it.

24 Q. Well, let me state it differently. I'm
25 telling you that she's not making a lost wage claim,

1 okay?

2 A. Okay.

3 Q. What would be the purpose of a functional
4 capacity evaluation summary for workability if
5 somebody's not making -- if they're not claiming
6 that they're going to miss any work?

7 A. Well, it speaks to their overall lifestyle
8 functioning for one thing, work being an important
9 lifestyle function.

10 Q. Okay. Did McKenzie ever tell you that she
11 wanted to stop working because of her injury or had
12 to stop working because of her injury?

13 A. No, she expressed excitement over her new
14 career in nursing.

15 Q. Are you aware of any modifications that
16 she made so that she could continue with her
17 schooling or with any type of employment in the
18 nursing field?

19 A. Well, I'm not aware of any accommodations
20 that she's made for her new positions going forward.
21 We did discuss how she went through some of her
22 clinicals, but it sounded like she was doing fairly
23 well with those clinicals.

24 Q. What did she tell you about the clinicals?
25 Did she have to wear a boot sometimes or did she

1 have to routinely sit down, or you tell me, what did
2 she tell you?

3 A. Okay. On the first page of my report I
4 document that a little bit. She told me their most
5 difficult assignments were on the renal floor which
6 is a med surgery floor of the hospital and ICU. And
7 I believe under recent job activities she reported
8 that she had to wear her boot on the floor for some
9 days around 2013, so it -- and that she was -- you
10 know, she did work 12-hour shifts during her
11 clinical thing. So in terms of recent
12 accommodations or activities, she didn't report the
13 need for any recent accommodations or activities in
14 any of her clinical assignments since 2013.

15 Q. So if we're accepting what McKenzie Davis
16 is saying, and we don't have any reason to doubt her
17 from your testimony, she's working through any pain
18 that she might have?

19 A. Yes, she is.

20 Q. Okay.

21 MR. MAISLIN: Okay. I have nothing
22 further. Thank you.

23 MR. POWELL: That's all the questions.

24 Thank you, Doctor.

25 VIDEO TECHNICIAN: We are off the record.

1 The time is 10:18.

2 (Witness excused.)

3 (Deposition concluded at 10:18 a.m.)

4

5

6 Signature expressly waived

7 RICHARD WICKSTROM, PT, DP

DATE

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1)

2 STATE OF OHIO)

3)

4 I, Mindy Davis, Notary Public for the State of
5 Ohio, do hereby certify:

6 That the witness named in the deposition, prior
7 to being examined, was by me duly sworn;

8 That said deposition was taken before me at the
9 time and place therein set forth and was taken down
10 by me in shorthand and thereafter transcribed into
11 typewriting under my direction and supervision;

12 That said deposition is a true record of the
13 testimony given by the witness and of all objections
14 made at the time of the examination.

15 I further certify that I am neither counsel for
16 nor related to any party to said action, nor in any
17 way interested in the outcome thereof.

18 IN WITNESS WHEREOF I have subscribed my name
19 and affixed my seal this 22nd day of September,
20 2016.

21

22

23 MINDY DAVIS

24 Notary Public

25 My Commission expires: 04/03/21